

# SECURING RADIOLOGICAL MATERIALS: EXAMINING THE THREAT NEXT DOOR

---

## HEARING

BEFORE THE

COMMITTEE ON  
HOMELAND SECURITY AND  
GOVERNMENTAL AFFAIRS  
UNITED STATES SENATE  
ONE HUNDRED THIRTEENTH CONGRESS

SECOND SESSION

JUNE 12, 2014

Available via the World Wide Web: <http://www.fdsys.gov/>

Printed for the use of the  
Committee on Homeland Security and Governmental Affairs



U.S. GOVERNMENT PUBLISHING OFFICE

90-920 PDF

WASHINGTON : 2015

---

For sale by the Superintendent of Documents, U.S. Government Publishing Office  
Internet: [bookstore.gpo.gov](http://bookstore.gpo.gov) Phone: toll free (866) 512-1800; DC area (202) 512-1800  
Fax: (202) 512-2104 Mail: Stop IDCC, Washington, DC 20402-0001

COMMITTEE ON HOMELAND SECURITY AND GOVERNMENTAL AFFAIRS

THOMAS R. CARPER, Delaware *Chairman*

CARL LEVIN, Michigan	TOM COBURN, Oklahoma
MARK L. PRYOR, Arkansas	JOHN MCCAIN, Arizona
MARY L. LANDRIEU, Louisiana	RON JOHNSON, Wisconsin
CLAIRE McCASKILL, Missouri	ROB PORTMAN, Ohio
JON TESTER, Montana	RAND PAUL, Kentucky
MARK BEGICH, Alaska	MICHAEL B. ENZI, Wyoming
TAMMY BALDWIN, Wisconsin	KELLY AYOTTE, New Hampshire
HEIDI HEITKAMP, North Dakota	

GABRIELLE A. BATKIN, *Staff Director*

JOHN P. KILVINGTON, *Deputy Staff Director*

HARLAN C. GEER, *Senior Professional Staff Member*

CARLY A. COVIEO, *Professional Staff Member*

DEIRDRE G. ARMSTRONG, *Professional Staff Member*

KEITH B. ASHDOWN, *Minority Staff Director*

DANIEL P. LIPS, *Minority Director of Homeland Security*

WILLIAM H.W. MCKENNA, *Minority Investigative Counsel*

LAURA W. KILBRIDE, *Chief Clerk*

LAUREN M. CORCORAN, *Hearing Clerk*

## CONTENTS

Opening statements:	Page
Senator Carper .....	1
Prepared statements:	
Senator Carper .....	25

### WITNESSES

THURSDAY, JUNE 12, 2014

Hon. Anne Harrington, Deputy Administrator for Defense Nuclear Non-proliferation, National Nuclear Security Administration, U.S. Department of Energy .....	4
Huban A. Gowadia, Ph.D., Director, Domestic Nuclear Detection Office, U.S. Department of Homeland Security .....	6
Mark A. Satorius, Executive Director for Operations, U.S. Nuclear Regulatory Commission .....	8
David Trimble, Director, Natural Resources and Environment, U.S. Government Accountability Office .....	10

### ALPHABETICAL LIST OF WITNESSES

Gowadia, Huban A.:	
Testimony .....	6
Prepared statement .....	36
Harrington, Hon. Anne:	
Testimony .....	4
Prepared statement .....	27
Satorius, Mark A.:	
Testimony .....	8
Prepared statement .....	42
Trimble, David:	
Testimony .....	10
Prepared statement .....	50

### APPENDIX

Charts referenced by Senator Carper .....	57
---	----



## **SECURING RADIOLOGICAL MATERIALS: EXAMINING THE THREAT NEXT DOOR**

---

**THURSDAY, JUNE 12, 2014**

U.S. SENATE,  
COMMITTEE ON HOMELAND SECURITY  
AND GOVERNMENTAL AFFAIRS,  
*Washington, DC.*

The Committee met, pursuant to notice, at 10:32 a.m., in room SD-342, Dirksen Senate Office Building, Hon. Thomas R. Carper, Chairman of the Committee, presiding.

Present: Senator Carper.

### **OPENING STATEMENT OF CHAIRMAN CARPER**

Chairman CARPER. This hearing will come to order.

A little over a year ago, the city of Boston, as we will recall, was struck by a tragedy during the running of the 117th Boston Marathon. Two terrorists detonated pressure cooker bombs near the finish line. As you will recall, they killed three people; they injured nearly 300 more.

The horror of this attack, which we viewed again and again on television, and again on the first anniversary of the attack, will never be forgotten, but neither will the heroism that unfolded immediately following those attacks. Police, medical personnel, National Guardsmen, volunteers, runners, and spectators all ran toward the blasts to provide immediate aid to the injured. These acts of courage and selflessness saved countless lives.

The tragic events of the 117th running of the Boston Marathon remind us that we must constantly seek to counter the threats and anticipate the threats from homegrown terrorists and to improve our Nation's ability to anticipate—and prevent—the next attack.

Today, as we strive to improve our counterterrorism efforts, we have the opportunity to look back at the Boston Marathon bombing and ask ourselves this question: What if the attack had occurred differently? What if it was even more deadly? What if the pressure cooker bombs were not just simply bombs but dirty bombs? The last question is what we are going to focus on today in this hearing.

A dirty bomb is any kind of crude explosive device that, when detonated, disperses radiation around and beyond the blast. If a dirty bomb successfully goes off, those who survive the blast can be exposed to harmful amounts of radiation that could cause sickness or even death. Moreover, a dirty bomb could render areas uninhabitable for not just days or weeks or months but for years, making that particular weapon a highly disruptive weapon.

If the Boston Marathon terrorists had turned their pressure cooker bombs into dirty bombs, then the consequences of that tragic day could have been multiplied by an order of magnitude. I want us just to think about that for a minute.

For instance, when those police, medical personnel, volunteers, runners, and spectators all ran toward the blast to help the injured, what if they had been unknowingly exposed to harmful amounts of radiological material? In many cases, this material cannot be seen, as we know, it cannot be smelled, felt, or tasted. In this hypothetical, what would have been a heroic display of courage and selflessness could have quickly spiraled into a far more deadly and disruptive situation.

Today's hearing will focus on how we can ensure that this hypothetical situation does not come to pass. We will focus on the threat of a dirty bomb and specifically examine the security of radiological material here in communities across our country that could be used to create a dirty bomb.

Two years ago, at the request of then-Senator Daniel Akaka, a good friend of us all, the Government Accountability Office (GAO) issued a report examining the government's efforts to secure radiological material in U.S. medical facilities.

GAO found that in many cases this radiological material was all too vulnerable to theft or sabotage. Shortly thereafter, I joined Senator Akaka and Senator Casey in requesting that GAO audit the security of radiological material used at construction and industrial sites.

Unlike the radiological devices in hospitals that are stationary and large, industrial radiological sources are often found in small, highly portable devices, routinely used in open, populated areas. And we have on the poster over here an example of the kind of highly portable radiological device that we are talking about.<sup>1</sup>

This is a radiography camera. It is a camera that is commonly used at construction sites to survey pipes and foundations for cracks and imperfections. These cameras contain radiological material that, if seized by the wrong hands, could be used to help create a dirty bomb. This clearly is the type of portable device that a thief or terrorist could walk away with if they found it left unsecured. GAO will testify today on the security of industrial radiological material like this camera, but the messages from their audit are clear.

Despite government efforts, industrial radiological sources are far too vulnerable to theft or sabotage by terrorists or by others wishing to do us harm. In fact, GAO found four cases where potential dirty bomb material was stolen between 2006 and 2012.

Moreover, GAO found two cases where individuals with extensive criminal histories were given unsupervised access to potential dirty bomb material. One of those individuals had been previously convicted of making "terroristic threats."

We are going to learn more about these vulnerabilities, and I think we are going to learn a little bit about maybe some common-sense fixes from our friends at GAO. But let me just say this: We have to do better. We have to do better than this. And given the consequences of a dirty bomb, there really is no excuse for the kind

<sup>1</sup> The chart referenced by Senator Carper appears in the Appendix on page 58.

of vulnerabilities identified by the Government Accountability Office.

If we are to protect against the next Oklahoma City bombing, the next 9/11, or the next Boston Marathon bombing, we need to stay several steps ahead of the terrorists. We must anticipate and neutralize their evolving ability to carry out terrorist plots well before they are ever conceived and executed.

Today we will also hear from three agencies that play a critical role in securing radiological material in the United States and preventing dirty bomb attacks from occurring.

And with that, we are going to turn to our panel, and I am going to make some brief introductions of each of you, and then we will invite you to present your testimony. And I will ask some questions. Some of my colleagues will drift in and out; they will ask questions as well. And then by that time, it will be time for dinner. [Laughter.]

Hopefully we will be done sooner than that. But I want to welcome each of you for joining us today. Thank you for coming. Thank you for your preparation for this hearing and for your willingness to respond to our questions.

The Honorable Anne Harrington is the Deputy Administrator for Defense Nuclear Nonproliferation for the National Nuclear Security Administration (NNSA). Does that fit on a business card?

Ms. HARRINGTON. Barely.

Chairman CARPER. Barely. That is a lot. A position you have held, I understand, since, what 2010. Thank you. Prior to the National Nuclear Security Administration, she served as the Director of the National Academy of Sciences Committee on International Security and Arms Control. She has also held positions in the State Department as Acting Director and Deputy Director of the Office of Proliferation Threat Reduction. Welcome.

Next on our panel we have Dr. Huban—and I am going to ask you to pronounce your last name. Let me try it, and then I want you to pronounce it for us. Gowadia? Is that right?

Ms. GOWADIA. Gowadia.

Chairman CARPER. Gowadia. That is a great name. The Director of the Domestic Nuclear Detection Office (DNDO) at the Department of Homeland Security (DHS). Dr. Gowadia was appointed Director in September 2013 after being Acting Director since 2012. Dr. Gowadia, welcome. I understand you served in multiple positions at the Domestic Nuclear Detection Office since 2005, and prior to that worked at the Department of Homeland Security's Science and Technology Directorate, the Transportation Security Administration (TSA), and the Federal Aviation Administration (FAA).

Our next witness on this panel is Mr. Mark Satorius, Executive Director for Operations at the U.S. Nuclear Regulatory Commission (NRC), and in that role he serves as the Chief Operating Officer (COO) overseeing the day-to-day operations of that agency. Mr. Satorius joined the NRC 25 years ago as an operating licensing examiner and then as a reactor inspector and senior project engineer. Mr. Satorius, a U.S. Naval Academy graduate, served as an officer in the U.S. Navy's Nuclear Power Program and a nuclear-trained submarine officer. Thank you for that service, too.

Our final witness this morning is Mr. David Trimble, who serves as a Director of the Natural Resources and Environment group at the U.S. Government Accountability Office. Mr. Trimble is the primary author of a GAO report underlining the threat presented by the security of domestic industrial radiological sources. In his current role at GAO, Mr. Trimble provides leadership and oversight on nuclear security and cleanup issues. Previously, he has focused on environmental causes, including controlling toxic substances, clean water, clean air issues, and the Environmental Protection Agency (EPA) management. Before joining GAO in 2009, Mr. Trimble served at the Department of State's Political Military Affairs Bureau where he was responsible for export compliance and enforcement issues.

Those are the introductions. I am sure they do not do justice to each of you, but we are delighted that you are here and that you are willing to help better inform this Committee, and hopefully this Senate, with the potential threats that face us and what we might do about them.

Ms. Harrington, please proceed.

**TESTIMONY OF THE HONORABLE ANNE HARRINGTON,<sup>1</sup> DEPUTY ADMINISTRATOR FOR DEFENSE NUCLEAR NON-PROLIFERATION, NATIONAL NUCLEAR SECURITY ADMINISTRATION, U.S. DEPARTMENT OF ENERGY**

Ms. HARRINGTON. Thank you, Mr. Chairman, and thank you for giving me the opportunity to testify on the Department of Energy (DOE) National Nuclear Security Administration efforts to enhance the security of vulnerable high-risk radioactive sources in the United States. I would like to thank you for your continued interest and the interest of the Committee and its leadership on this important issue. I would also like to thank my colleagues from the Department of Homeland Security and the Nuclear Regulatory Commission for being constructive and indispensable partners in the effort to reduce the risk of radiological incidents.

The Office of Defense Nuclear Nonproliferation, which I lead, in conjunction with our Federal, local, and industry partners, works to enhance the security of civilian radioactive materials in the United States and internationally. I have provided details on our programs in my written testimony.

We do appreciate the comments and recommendations from the General Accountability Office, and we are actively implementing their recommendations to expand outreach to increase the number of program volunteers and enhance coordination with other Federal agencies.

I want to use the time allotted for my oral remarks to look at the path forward and at the strategic approach we are developing to address the challenges of securing the materials that can be used in a dirty bomb.

The importance of securing high-risk radiological sources was highlighted at the 2014 Nuclear Security Summit when the United States and 22 other countries signed on to a so-called gift basket, committing to secure all International Atomic Energy Agency

<sup>1</sup> The prepared statement of Ms. Harrington appears in the Appendix on page 27.



(IAEA) classified Category I radioactive materials at a level that meets or, where possible, exceeds the guidelines of the agency's Code of Conduct on the Safety and Security of Radioactive Sources. The goal is to accomplish this by the 2016 Nuclear Security Summit.

The recent theft in Mexico of a truck carrying a large cobalt-60 source demonstrates how much our own security depends on the quality of security outside our borders. Commitments like the ones implemented under the Nuclear Security Summit process contribute in a meaningful way not just to the security of individual countries but to our joint security.

While we continue to proceed with implementation of security enhancements for high-risk radioactive materials, several factors have led us to consider a new strategic approach to addressing the dirty bomb threat through actions that achieve more permanent and sustainable threat reduction. Factors that we considered include: the large number of radioactive sources worldwide; the fact that we secure or retire existing sources even as new sources and new devices are being introduced; the long-term cost for sustaining security systems; the limited options for disposal of these sources; and the general constraints within the Federal budgets.

The grand challenge we should consider is how we can achieve permanent risk reduction rather than continuing in the current preventive posture. Just as we have demonstrated that highly enriched uranium (HEU), is not necessary for producing critical medical isotopes and that we can eliminate HEU from that technology cycle, can we apply the same principle to radiological sources?

We should strive to not only further enhance security, but reduce the size and complexity of the overall problem and achieve permanent threat reduction by decreasing the number of sites and devices that require the high-activity radioactive materials.

The centerpiece of this strategy is to engage in a worldwide effort to provide reliable non-radioactive alternatives to the highest activity radioactive sources that pose the greatest risk or to find ways to reduce the amounts of material needed for a given function.

We will need to have the engagement and active participation from the research, industry, and medical communities, but the potential benefits—removing the risk of a dirty bomb altogether—are significant.

Considering a range of incentives for replacement where commercially viable alternatives exist is something that we are investigating, and we are also collaborating with our research and development office to explore and assess technical improvements that could be developed and transferred to industry for commercialization.

We recognize, however, that we may not succeed in replacing the need for all sources. For example, radioactive industrial sources such as mobile well logging and radiography sources may not have an acceptable and viable alternative. In such cases we are collaborating with industry partners to develop innovative and sustainable security solutions.

We have seen that other countries are willing to go above and beyond international norms and standards for radiological security through collaboration with our programs and through commitments

they have made at the Nuclear Security Summit. We have also now seen domestically that some Agreement States have taken radiological security to a higher level. While we have an important role to play in this regard, we also encourage all other States to show the same initiative to demonstrate leadership and commit resources to take radiological security beyond minimum requirements.

Thank you for your attention, and I am happy to answer any questions.

Chairman CARPER. Ms. Harrington, thank you so much. Thanks for your testimony. Thanks for your service.

Dr. Gowadia, would you please present your testimony at this time? Thank you.

**TESTIMONY OF HUBAN A. GOWADIA, PH.D.,<sup>1</sup> DIRECTOR, DOMESTIC NUCLEAR DETECTION OFFICE, U.S. DEPARTMENT OF HOMELAND SECURITY**

Ms. GOWADIA. Thank you. Good morning, Chairman Carper, and I would extend thanks also for holding this hearing. It is a good opportunity for us to appear today to present to you and discuss with you the Domestic Nuclear Detection Office's efforts to prevent and prepare for radiological events.

I am honored to be here today to testify with my distinguished colleagues. Their support and assistance are fundamental to the mission you have given my office.

At the Domestic Nuclear Detection Office, we are singularly focused on the nuclear threat and seek to make nuclear terrorism a prohibitively difficult undertaking for our adversaries.

In coordination with Federal, State, and local partners, we develop and enhance the global nuclear detection architecture (GNDA), which is a framework for detecting, analyzing, and reporting on nuclear and other radioactive materials that are out of regulatory control.

Although my office focuses on detecting and locating radioactive materials once they are lost or stolen, we work very closely with our colleagues at the Department of Energy and the Nuclear Regulatory Commission who are responsible for the safety and security of these materials.

Our approach to detection is based on the critical triad of intelligence, law enforcement, and technology. By ensuring intelligence-informed operations are conducted by well-trained operators using the right technologies, we maximize our ability to detect and interdict radiological and nuclear threats.

The first leg of the triad, intelligence and information sharing, is, very frankly, the backbone of a robust detection architecture. Timely and accurate indicators and warnings are crucial to the deployment of resources and operations. Additionally, we analyze past nuclear smuggling cases and pertinent terrorism events and bring this knowledge to bear on the development of future detection architectures and systems.

---

<sup>1</sup> The prepared statement of Ms. Gowadia appears in the Appendix on page 36.

The Domestic Nuclear Detection Office's Joint Analysis Center enables information sharing and also provides alarm adjudication support and situational awareness to our stakeholders.

To increase the awareness of lost and stolen sources, we regularly publish information bulletins for our State and local partners, summarizing relevant news articles with useful facts about radioactive materials.

The second leg of our triad is law enforcement officers and first responders, those on the front lines of detection and prevention efforts. The Domestic Nuclear Detection Office works to ensure that they have the necessary capabilities and are well trained and ready for the mission. Since 2005, through many collaborative efforts, we have provided radiation detection training to over 27,000 Federal, State, and local law enforcement personnel and emergency responders. Annually, we conduct approximately 15 exercises that stress operator's abilities to detect illicit radiological and nuclear material while enhancing collaboration and building trusted networks.

To date, the Domestic Nuclear Detection Office has engaged with 29 States to raise awareness of this threat, and we assist our State and local partners as they develop their own detection programs. We work with them to build a flexible, multilayered architecture that can be integrated with Federal assets into a unified response in the event of a credible threat. By the end of 2015, we will have expanded these efforts to cover all 50 States.

The Domestic Nuclear Detection Office further supports law enforcement operations by providing mobile detection deployment units. These are designed to supplement existing local detection and reporting capabilities, especially in support of national and other special security events.

The program was instituted in 2008, and the trailers house equipment for up to 40 personnel. In fact, this year on July 4, we will complete our 150th deployment of the mobile detection units.

The final leg of our triad is technology. In addition to acquiring and deploying radiation sensors for the Department of Homeland Security's operational components, we maintain an aggressive transformational and applied research portfolio. The Domestic Nuclear Detection Office collaborates with Federal research and development partners as well as with industry, academia, and the national laboratories to bring the right technologies to front-line operators.

Operators are always included in all of our efforts. For instance, we recently led the development of a next-generation handheld radioisotope identification device. These are regularly used by law enforcement and technical experts in the field.

We work closely with our operational partners to identify key requirements for the design of the system. The final product is now a device that is lightweight, easy to use, more reliable, and even has lower life-cycle costs. With your support, we will continue such collaborative efforts to develop breakthrough technologies and offer significant operational improvements and enhance our national detection capabilities.

Thank you again for this opportunity to discuss the Domestic Nuclear Detection Office's efforts to protect our Nation from radio-

logical and nuclear threats. I sincerely appreciate your interest and support for the entire nuclear security enterprise. Your leadership and our collaborations will help us ensure a safe, secure, and resilient homeland. Thank you.

Chairman CARPER. Dr. Gowadia, thank you so much.

Mark Satorius, please proceed. When you left the Navy, how many years had you served?

Mr. SATORIUS. Five years of active duty, sir, and then 18 years of reserve service.

Chairman CARPER. OK. And so 18 years of reserve service, so that is like 23 years.

Mr. SATORIUS. Yes, sir.

Chairman CARPER. That is how many years I served, 5 active, 18 reserve. And I was a Navy P-3 aircraft mission commander, and our job was to track Soviet nuclear subs. We did a lot of low-level missions off the coast of Vietnam, surface surveillance during the Vietnam War, including around those islands in the South China Sea where there is a big—

Mr. SATORIUS. Yes, sir, and you oftentimes spent time looking for U.S. submarines without as much success.

Chairman CARPER. No, we were not stupid enough to try to look. We could not find them. They were so quiet. And the way we found them, as you know, was through sound. But we are very proud of your service there, and you are a retired captain?

Mr. SATORIUS. Yes, I am. I am a retired captain.

Chairman CARPER. So am I. Well, Captain, my son, Ben, calls me, "Captain, my captain." [Laughter.]

And I always say, "As you were, sailor." So, Captain, welcome.

#### **TESTIMONY OF MARK A. SATORIUS,<sup>1</sup> EXECUTIVE DIRECTOR FOR OPERATIONS, U.S. NUCLEAR REGULATORY COMMISSION**

Mr. SATORIUS. Thank you, and good morning, Chairman Carper. I appreciate the opportunity to appear before you today on behalf of the U.S. Nuclear Regulatory Commission.

Radiological source security has been, and continues to be, a top priority at the NRC. The NRC continues to work with the 37 Agreement States and domestic and international organizations on a variety of initiatives to make risk-significant radioactive sources even more secure and less vulnerable.

The events of September 11, 2001, changed the threat environment and resulted in significant strengthening of the security of radioactive sources. Immediately following September 11, 2001, the NRC, working with other Federal and State agencies, prioritized actions to enhance the security of radioactive sources. These initial actions resulted in the NRC issuing a number of security advisories to NRC and Agreement State licensees to communicate general threat information and recommend specific actions to enhance security and address potential threats. Once NRC identified actions that licensees needed to take to enhance the security and control of risk-significant sources, the agency issued orders that imposed legally binding requirements on our licensees.

<sup>1</sup> The prepared statement of Mr. Satorius appears in the Appendix on page 42.

In addition, as mandated by the Energy Policy Act of 2005, the NRC convened an interagency task force on radiation source protection and security to evaluate and provide recommendations to the President and the Congress relating to the security of radiation sources in the United States from potential terrorist threats. This task force submitted its first report to the President and Congress in August 2006, concluding that there were no significant gaps in the areas of radioactive source protection and security. The second task force report was provided in August 2010, and the third report will be submitted this August.

At a hearing on July 12, 2007, by the Permanent Subcommittee on Investigations of this Committee, a web-based licensing verification system was discussed. In an effort to better track transactions of radioactive material nationally, the NRC developed a portfolio of automated tools to verify licenses and track credentials, inspections, devices and sources, and events. This portfolio includes: the National Source Tracking System, the Web Based Licensing System, and the License Verification System.

The NRC also ceased relying on the presumption that applicants for a license were acting in good faith and instead instituted a policy by which the NRC and the Agreement States would verify the legitimacy of applicants when first dealing with them. We also issued pre-licensing guidance that includes various applicant and licensee screening activities and site visits to ensure radioactive sources will be used as intended.

The NRC also has implemented a process called the Integrated Materials Performance Evaluation Program (IMPEP), to assess its own regional materials programs as well as those of the Agreement States. This program provides the NRC with a systematic, integrated, and reliable evaluation of the strengths and weaknesses of the respective programs, and it provides an indication of areas in which NRC and Agreement States should dedicate more resources or management attention.

Through a significant collaborative effort between the NRC and the Agreement States, the agency developed a radioactive source security rulemaking to replace the earlier Orders and provide requirements to a broad set of licensees. This rulemaking was informed by insights gained through the implementation of the Orders.

The resulting rule—10 Code of Federal Regulation (CFR) Part 37—is an optimized mix of performance-based and prescriptive requirements that provide the framework for a licensee to develop a security program for risk significant materials with measures specifically tailored to its facility. Compliance with the rule was required for NRC licensees by March 19, 2014. Agreement State licensees need to fulfill compatible requirements by March 2016.

The NRC's efforts in material security have not ended with the publication and implementation of our radioactive source security rule. The NRC will continue to assess its programs to ensure that they promote the secure use and management of radioactive sources.

This concludes my remarks, Senator, and I will be happy to respond to any questions you may have.

Chairman CARPER. Captain, thanks so much.

David Trimble, GAO, nice to see you. Thanks for joining us, and thanks for all you guys do at GAO to help our country and us.

**TESTIMONY OF DAVID TRIMBLE,<sup>1</sup> DIRECTOR, NATURAL RESOURCES AND ENVIRONMENT, U.S. GOVERNMENT ACCOUNTABILITY OFFICE**

Mr. TRIMBLE. Thank you. My testimony today discusses the challenges Federal agencies face in securing industrial radiological sources in the United States and the steps agencies are taking to improve security. The potential vulnerability of radiological sources was highlighted last December when a truck in Mexico carrying a cobalt-60 source, a high-risk radiological source, was stolen.

In our report being issued today, we examined two types of industrial radiological sources: mobile and stationary. We found that both pose security challenges even when licensees follow NRC's security controls.

The size and portability of mobile sources makes them particularly challenging. IAEA officials have stated that the transportation of high-risk sources is the most vulnerable part of the nuclear and radiological supply chain. NRC requirements to ensure security for these mobile sources provide a general framework that is to be implemented by the licensee. While NRC orders call on licensees to secure these sources, they do not explain how to do this by, for example, specifying the robustness of locks that must be used or even that alarms be installed in trucks carrying mobile sources.

While all 15 industrial radiography companies we visited met NRC's security requirements, we found great variation in the security measures employed, with some companies using only the most basic of locks to secure these high-risk sources. The risk to these mobile sources is underscored by four incidents of theft, all after NRC instituted increased controls for high-risk sources in 2005.

In addition to these thefts, we identified two instances where unauthorized individuals, both claiming to be State inspectors, approached the crew while in the field. In one case, the individual was wearing a jacket with a logo of the State. This person gained access to the truck, sought detailed information about the source, and left with two accomplices only after the crew had made calls to confirm his identity.

Regarding stationary sources, these typically involve aerospace manufacturing plants, storage warehouses, and panoramic irradiators used to sterilize food. While all of the 33 facilities we visited met NRC's general security requirements, some facilities still appeared to have vulnerabilities. Nine facilities had unsecured skylights. One facility had an exterior roll-top door that was open and unattended. And the wall of the cage inside where the radiography cameras were stored did not go to the ceiling. Another facility had an irradiator on wheels near a loading dock that was secured with a simple padlock.

In addition to these potential security vulnerabilities, we found that some well logging companies that separately secure their high-

---

<sup>1</sup> The prepared statement of Mr. Trimble appears in the Appendix on page 50.

risk sources, did not have to comply with NRC's increased security requirements.

Licensees of both mobile and stationary sources also face challenges in determining which employees are suitable for trustworthiness and reliability certification, which is required by NRC before an employee is given unescorted access to high-risk radiological sources. The trustworthiness and reliability (T&R) certification is intended to mitigate the risk of an insider threat, which NNSA has stated is the primary threat to facilities with high-risk radiological sources.

Under NRC's security controls, it is left to the licensee to decide whether to grant employees unescorted access, even in cases where an individual has been convicted of a violent crime or making terroristic threats.

About half of the 33 licensees we visited said they faced challenges in making these determinations, and seven stated they had granted the T&R certification to individuals with criminal records, giving them unescorted access to high-risk sources. In one case, the individual had been arrested and convicted multiple times of assault, forgery, failure to appear in court, driving while intoxicated, driving with a suspended license, and twice for terrorist threats.

Notably, the two convictions for terroristic threats were not included in the background information provided by NRC to the licensee. According to NRC, this person was not convicted of threats against the United States but of making violent verbal threats against two individuals.

Our report also examined the steps Federal agencies are taking to better secure industrial radiological sources. NNSA has a voluntary program to install enhanced security measures at facilities containing high-risk sources, and both NNSA and DHS have research projects to help track mobile sources if lost or stolen. In addition, at the time of our review, NRC was preparing a security Best Practices Guide for licensees.

Our report includes recommendations to NRC to review and consider advising the T&R process and re-examine the regulatory gap that exempts some facilities from the increased security requirements.

Thank you. I would be happy to answer any questions you may have.

Chairman CARPER. David, thank you so much.

I think we have a couple of photos here that have been enlarged, and I am going to ask, if I could, Mr. Trimble, for you to respond to a couple of questions.

I think your report included in it a number of visuals that were especially interesting. We have taken I think three of those photos and put them on these large charts, and I am going to just present each of these three to you in sequence. I just want to ask you to describe the photo and the security concern that it represents.

Here is the first one. What is the photo of?<sup>1</sup>

Mr. TRIMBLE. This is one of the sites we visited. This is a warehouse storing radiography cameras, and the potential security vul-

<sup>1</sup> The chart referenced in the hearing appears in the Appendix on page 57.

nerability we identified in this is the large door that is obviously left open and unattended.

Chairman CARPER. All right. And what is inside that might be of interest to—

Mr. TRIMBLE. Yes, these are the radiography cameras that you had the earlier picture of, and so the warehouse would be the central location where these cameras would be stored when they are not out in the field being used.

Chairman CARPER. Would they be inside? Could it be two or three, or maybe a couple dozen?

Mr. TRIMBLE. Yes, there could be any number of radiography cameras located inside, and they would be in a storage room behind a locked container. And so because they were in a locked container, they are meeting the NRC's security requirements, notwithstanding the open door and the unattended nature of that door.

Chairman CARPER. OK. Any idea how many of those handheld devices would be required if someone who knew how to handle radioactive materials could actually create a weapon of real concern?

Mr. TRIMBLE. I think I would defer to colleagues at the table here, but I believe one would be sufficient.

Chairman CARPER. OK. Let us look at the next photo. And what do we have here?<sup>1</sup>

Mr. TRIMBLE. This is inside one of the storage warehouses for those radiography cameras, but as you can see here, while there is a lock on the caged door, the door and the wall next to it do not go all the way to the ceiling. So it is a rather imperfect barrier.

Now, again, inside of there, the radiography cameras were in a locked container, and that is how—notwithstanding the vulnerability there they are still able to meet the NRC security guidelines.

Chairman CARPER. Janet Napolitano used to be Secretary of Homeland Security, as you will recall, and I remember her sitting here at this table talking to us about border security. We were talking about building along the Mexican border with the United States a fence, or fences, and walls. I remember she said something to the effect of if I build a 20-foot fence, somebody will come along with a 25-foot ladder. Looking at this reminds me of that.

I think we have maybe one more photo that has been enlarged and placed on a chart. Let us look at that, and maybe you can tell us about that photo. And what do we have here?<sup>2</sup>

Mr. TRIMBLE. This is a picture of a skylight, and at nine locations we visited, we identified unsecured skylights at the facilities. These facilities range from warehouses storing radiography cameras to scientific research facilities to large panoramic irradiators. So there are quite a range of facilities that had these vulnerabilities.

Notably, I believe, in the NNSA program where they go in on a voluntary basis and beef up security. Skylights is one of the areas which they would target in terms of either closing the means of ingress or securing the skylight.

<sup>1</sup> The chart referenced in the hearing appears in the Appendix on page 59.

<sup>2</sup> The chart referenced in the hearing appears in the Appendix on page 60.



Chairman CARPER. Can you tell us in the building where a skylight exists, were the devices that we are talking about here locked up in a secure facility?

Mr. TRIMBLE. Yes. They are still meeting the NRC requirements because they would still be in a locked container inside the facility, but the skylight from our view is a potential vulnerability because it provides another way of getting inside the building to gain access to that container.

Chairman CARPER. As we all know, there are locked containers and there are locked containers. And some are not very secure; others are quite secure. Can you comment on that, please?

Mr. TRIMBLE. Well, we saw the radiographers transporting their mobile sources on trucks. Some of these trucks were secured with very simple padlocks. Some had high security locks, and inside where the radiography cameras would be stored. Sometimes people would just have an Army surplus container with a cable securing it to the truck, which provides the second lock required under the NRC requirements.

Some took the mission much more seriously, bought reinforced steel containers and had bolted them did a a better job to secure those containers.

So there is a great variability that we observed in the field.

Chairman CARPER. Let me just ask the other panelists just to react to what we have seen and what Mr. Trimble has said. Any thoughts before I ask a different question? Captain Satorius.

Mr. SATORIUS. Well, Senator, I would just say that this is the first I have seen of these pictures. I have been made aware of issues that the GAO has found within their report. Being an organization that always strives to continually improve, we have a new security rule, which I mentioned, 10 CFR Part 37. We will look at that rule and see if there are things that we need to beef up. But as a regulatory body, we put regulations in place that are risk informed and performance based, and we do not typically have a one-rule-fits-all. We leave it to the licensees to do. It is their responsibility to take our requirements and put their program into place and ensure that they are complying with our regulations.

Now, we do provide with rules guidance that will instruct licensees on how they can construct and operate their program in a manner that will comply with our regulatory requirements. But we leave it to the licensee to put their program in place to document a written security plan. I would have to understand the security zone on some of these pictures to understand completely all the details. But I wanted to provide that short perspective.

Chairman CARPER. OK, good. Is this an honor system that is in place, basically self-policing compliance?

Mr. SATORIUS. No, because we also inspect these facilities, and where we have compliance problems, where they do not comply with our regulatory requirements, we have an enforcement program that can issue violations, civil penalties, Orders that will modify or revoke their license if necessary. So we have a very robust enforcement program. And like I say, we inspect these facilities on a periodicity that aligns with the potential danger that might befall a member of the public if they were to be exposed.

Our inspection program is quite robust. I am a former inspector myself. It is about a year program that has formal classes that have to be taken and passed successfully. There are many on-the-job type accompaniments where you are under instruction as you perform these inspection activities. And then, finally, you are qualified as an inspector through an oral board.

So it is a rigorous program, and only inspectors can perform these sort of reviews of licensees.

Chairman CARPER. Did you say the regulation had been updated recently?

Mr. SATORIUS. Yes.

Chairman CARPER. Give us just a flavor for how it was changed.

Mr. SATORIUS. I had mentioned in the 2005 timeframe we had done an assessment since 9/11 and looked at what things we needed to make regulatory requirements, and what we typically do is we will issue orders that modify their license and has them perform certain activities. We will then take a little bit longer and go through the rulemaking process, which is a 2- to 3-year process, involves outreach to stakeholders and members of the public to help us in that rulemaking endeavor.

And so that process took place, and we issued that regulation in March of this year. It involves, as you have heard, a background check to ensure that individuals that are allowed to have access by themselves, that they are trustworthy and reliable. The licensee performs that review and makes the determination as to whether the individual is trustworthy and reliable.

We also have issued a guidance document of best practices for performing these type of reviews so that the individual that is responsible for making that call has guidance on what to look for and what the other thing that it requires is liaisons with local law enforcement so that you have a plan that if in the course of the required detecting and assessing and responding to the potential theft of a source, you have to lay out a plan with local law enforcement so that they can respond. They are required to inform the NRC—they call the local law enforcement first, and they are required to call us as soon as they are done with that so we get early notification so that we can outreach to our Federal partners to make sure that this lost or stolen source has actually been absconded with and—

Chairman CARPER. OK.

Mr. SATORIUS. Those are some of the things.

Chairman CARPER. Can you give us some idea how often these reports to police and to the NRC come in for devices that are missing or are believed to be stolen?

Mr. SATORIUS. I would say several a month, and the vast majority of those, all but—since 2005—I am sorry. 2010, 2011, 2012, and 2013, there has been no Category I source lost or stolen. For Category II sources—

Chairman CARPER. Give us some idea what a Category I is as opposed to a Category II, please.

Mr. SATORIUS. Yes. The IAEA standards and their Code of Conduct identifies Category I sources as, if not safely managed or securely protected are likely to cause permanent injury to a person who handled them or were otherwise in contact with them for more

than a few minutes, it would probably be fatal to be close to this amount of unshielded material for a period of a few minutes to an hour. And these sources are used in irradiators, and they are very strong sources. They tend to be cobalt-60.

And Category II is one step down from that, where if you were in close contact to it for an hour or two, there would be serious injury and possibly a fatality.

Chairman CARPER. I would assume that for Category I devices that the level of risk would be higher.

Mr. SATORIUS. Yes.

Chairman CARPER. And the requirement for securing the device would be greater, and maybe even inspections would occur more often. Give us some idea how often inspections would occur for these different categories.

Mr. SATORIUS. Annually for Category I sources.

Chairman CARPER. And for the other categories?

Mr. SATORIUS. It varies. Some have a periodicity of every 2 years or every 3 years, depending upon the strength of the material and its potential to harm members of the public. And I will say also for Category I sources, Part 37, the new rule, requires that anytime the source is removed from its storage container, it sets off an alarm. So that is a new requirement that was in Part 37.

Chairman CARPER. And is that for Category I devices?

Mr. SATORIUS. Yes.

Chairman CARPER. OK. Let me just ask our panelists, would you just comment on the rule that Captain Satorius just described? What should we be encouraged by, maybe concerned about? Please, any of you. David.

Mr. TRIMBLE. Well, as we note in our report, our site visits were assessing the current rules. Part 37 did not kick in for NRC-led States until this year, and it will not roll out for States until 2016.

What I would highlight, however, is that many of the problems that were identified in our report I do not believe would be addressed. For example, the issue of collocation where some sites are able to not be subject to the security requirements because they are separately stored; therefore, they are not totaled up to hit the regulatory threshold that triggers the requirements for enhanced security. I do not believe that is addressed.

I do not believe that some of the specificity that we have talked about in terms of types of locks for Category II sources is addressed.

And then the issue about trustworthiness and reliability certifications and the process by which that is done I do not believe is addressed.

So the decision is still being left to the licensee, and there is no process which—or criteria that would disqualify someone from being given such a certification. There is no process by which, say, for example, say you had a conviction or a red flag that would trigger greater NRC involvement.

Chairman CARPER. Ms. Harrington or Dr. Gowadia, would you just react to what Mr. Trimble just said?

Ms. HARRINGTON. I would like to take perhaps one of his points just very briefly, actually one of Mr. Satorius' points, which is the coordination, and I think this is one of those places where we can

play a special role and do, along with NRC and DHS. Reaching out to law enforcement can often be very complicated. There are many different layers. You might be in a tribal area. It might be a university campus with its own security police. It could be an environment where you have local county and State police.

So part of what we do collaboratively to go a bit above and beyond what is in the actual rule is to organize tabletop exercises that involve all elements of the community. These exercises really help bring together the different elements of the community that would be involved in response to any kind of incident. And so far in collaboration with our colleagues at the Department of Energy who do counterterrorism and counterproliferation, we have run well over 100 of these exercises all over the United States in communities——

Chairman CARPER. How often? Like in a year or what?

Ms. HARRINGTON. Several a year, usually. But we have found that the feedback from this kind of exercise is extremely positive. But if you were to try to regulate that sort of exercise, I am not sure exactly how you could do it. But this is one of the steps by looking together at how we can collaboratively improve the security posture, we have come up with some approaches like this that I think we feel are a very positive contribution to the overall security.

Ms. GOWADIA. Speaking for the Domestic Nuclear Detection Office, sir, I could tell you that the collaboration one is very critical because the trusted networks by virtue of these exercises and all the work we do in our trilateral meetings, in our Government Coordination Council, et cetera, they help us build an ability to get the early indicator, the early warning, so that the law enforcement assets with the detection capabilities can respond and help find the lost or stolen sources.

So we certainly support the regulatory work at the NRC and additional work that Administrator Harrington just mentioned, because it definitely enables our end of the mission spectrum, the detection, the find, fix, and locate piece.

Chairman CARPER. How do the safeguards that have been described here this morning, how do they compare with safeguards that are in place in other countries around the world where they have whether it is radiography cameras or other devices, even medical radioactive materials? How does our work compare with that of other countries?

Ms. HARRINGTON. This really is a global challenge, and I think to the credit of the countries involved in the Nuclear Security Summit process, they really have brought radiological security to the fore since the 2012 summit when it was added to the list of active targets for collaboration. I mentioned in my testimony that at the 2014 summit the United States and 22 other countries made a commitment that by the time of the 2016 summit, we would have taken steps to secure all Category I sources. So that now is on our collective plates in the United States to deliver that to the 2016 summit, and we will work collaboratively with other countries.

But I would venture to say that the photographs that we saw here today could reasonably represent similar challenges within the international community. In fact, I was at a conference in

southern Africa earlier this year, and as you know, very rich in natural resources, and the countries are extremely worried about the dirty bomb threat because of the number of sources, the lack of regulation, lack of secure procedures, lack of a strong independent regulator to provide a framework. And so we will work with those countries collaboratively to try to help them improve their profile.

Chairman CARPER. OK. When I was Governor of Delaware for 8 years, I was very much involved in the National Governors Association (NGA), which had a clearinghouse for good ideas. I remember many cabinet meetings presiding over with our cabinet when we were discussing a particular challenge in our State, saying to my cabinet, "Some other State has faced this challenge, and they have figured it out, and they are the gold standard." We had, as I said, in the National Governors Association this clearinghouse for good ideas, and we had the ability to find out what other State had addressed this satisfactorily, who the contact people were, how to get in touch with them, and it was actually very helpful in many instances.

Do we have that kind of capability with maybe looking—I do not know if we would look from State to State, but if not from State to State to see who has the best practices in this regard, or maybe from country to country who has the best practices? Maybe it is us. But could you all speak to that, please?

Mr. SATORIUS. I can start, Senator.

Chairman CARPER. Please.

Mr. SATORIUS. And I will speak from an Agreement State perspective where we have 37 States within the United States that have signed an agreement—the Governor has signed an agreement with the Chairman of the NRC where we first—where they want to take over the responsibilities for the safety and security of certain radioactive sources. And we have a process that we review their program and ensure that it has the right staffing, the right training.

Chairman CARPER. Excuse me. Why would a State want to take over that responsibility?

Mr. SATORIUS. Many reasons. The principal one that I hear is that we charge fees for licenses and for doing our regulatory activities. We are a 90-percent fee-recoverable agency. And so we charge fees. They oftentimes can do it for less money, so it is kind of a service to their constituents where they are able to provide those industrial users or medical users the use of these sources safely and compliant with our requirements at less cost to their citizens.

Chairman CARPER. OK. Others on the issue of compliance and best practices, whether it is within this country or outside of this country, please?

Ms. HARRINGTON. Well, you mentioned Senator Akaka before, and I had the honor to testify before him several years ago, and he was truly a leader in this area and worked very hard with—

Chairman CARPER. What do you suppose inspired him?

Ms. HARRINGTON. I am not sure. I would ask my team, especially Ioanna Iliopoulos, who runs this program for us, she worked very closely with the Senator and with the State of Hawaii to bring

them into full compliance with all regulations and, as far as I know, they were the first State to do that.

Chairman CARPER. OK. Ioanna, can you step a little closer to the microphone, please? If you can just take a moment, then we let you escape to your seat.

Ms. ILIOPULOS. Thank you.

Chairman CARPER. Would you just say your name, please?

Ms. ILIOPULOS. Yes. My name is Ioanna Iliopulos, and I work for NNSA, and I run the domestic program.

Chairman CARPER. Ioanna Iliopulos?

Ms. ILIOPULOS. Iliopulos.

Chairman CARPER. Thank you. There you go.

Ms. ILIOPULOS. I think the Senator was truly a visionary and cared about a lot of post-September 11 threats, and there were a lot of indicators in the early days post-September 11, and intellectually had talked to other Congressmen and Senators on this issue and requested that the GAO look into the area. And I think with GAO's audits, which were somewhat painful, on Federal programs but I think in the end raised the visibility of some of the vulnerabilities we have both domestically and internationally. And he was a clear advocate of it can be done in my State, I have medical facilities, I have USDA irradiators, I have a Navy base, I have a lot of things right in my own back yard, if it can be done in my State and we can increase the security posture, that could serve as a model going forward with other States.

So it was a push on our part. We did not solely focus on Hawaii. We focus on major metropolitan areas and other States across the country on a voluntary basis, but his foresight and his advocacy on this issue clearly articulated the need for others to step up and step forward.

Chairman CARPER. All right. Thank you very much. Mr. Trimble.

Mr. TRIMBLE. I would just add that previously GAO has done some work in this area. We looked at the issue of orphan sources, and we looked at how they were handling this issue in France, and they had some innovative ideas. We have not looked at the cross-organizational sharing aspect per se, but we have done some work overseas to look at how other countries have tackled some of these issues.

Chairman CARPER. I guess it would be understandable that if other nations have these devices that have radioactive materials in them, whether they are mobile or stationary, and if other countries do not secure them well and those materials were obtained, they could be used for bad purposes in those countries or maybe anywhere. What do we have to reduce the likelihood that if another country did not secure their radioactive materials well, what assurances do we have with the way we protect our own borders and our ports of entry that we will be able to detect or intercept any of that material coming in? Dr. Gowadia.

Ms. GOWADIA. Yes, thank you, Senator, for that question. At DHS we believe in a multifaceted, layered approach to our security. So this begins well overseas. In my office, the Domestic Nuclear Detection Office, we work very closely with the International Atomic Energy Agency so that we can promulgate best practices across the globe. All 159 member States now have access to best practice

guides on building national architectures, exercising, training, and awareness, and we are even beginning to teach some of the courses at the International Law Enforcement Academy. So that is our first outreach.

We also work with partner nations certainly to encourage them to have layered approaches within their nations. I guess as I go through my answer you will see me building layer after layer after layer so that we can make nuclear terrorism a harder and harder undertaking for the adversary.

We use information such as manifest data to focus our overseas scanning efforts, and then certainly collaborate with our Intelligence Community partners so that we can get the early indicators, the early intelligence warnings, and surge our assets as necessary.

At the borders itself, we have very robust capabilities, almost 100 percent of our containerized cargo is scanned at our seaports; 100 percent of vehicular traffic that comes across our land borders at our ports of entry get similarly scanned. We have well-trained law enforcement officers in Customs and Border Protection (CBP) and United States Coast Guard (USCG). Every boarding party in the United States Coast Guard carries detection equipment. All incoming general aviation flights are met by Customs and Border Protection officers who have the right equipment and scan the incoming aircraft. These are just some of the examples I can think of.

And, of course, with your continued support, we will continue to make the right investments and appropriately balanced capabilities to build strength after strength at our borders and with our international partners.

Chairman CARPER. Well, to be honest, all that is actually encouraging, and so we are grateful for the work that is being done. I like to say everything I do I know I can do better. Sometimes I say the road to improvement is always under construction. And just give us some examples of what we are doing better today than maybe what we were doing in the not too distant past, and maybe mention a couple of areas where we can do better still. This would be for you and for others as well.

Ms. GOWADIA. I guess I will start. One of the things we do better today is inform our efforts based on a more holistic look at the risk. My office is responsible for coordinating the global nuclear detection architecture and implementing its domestic component. So in these fiscally constrained days, we have to balance our resources to get the maximum bang for the buck, so we are now analyzing risk-informed schemes, building better feeds from information so that our mobile, agile architecture can be more responsive to a credible threat. So that is something we are doing better.

I could not agree with you more, Senator, no matter what we are doing, we can always do something better, a lot better. And with the adversary being adaptive, we have to continue to grow and stay ahead of their capabilities as well.

You heard the Administrator talk about exercising. Illicit nuclear materials are not something a law enforcement officer sees on a day-to-day basis, so we must practice. We must keep our skills up to speed, and we do that with some of our field exercises where we

use uncommon sources to expose our officers to things they do not see on a normal basis.

These are some of the activities. Integrated exercising I think is something we can do better moving forward. And our communications coordination function always can be better.

Chairman CARPER. Anyone else? Ms. Harrington.

Ms. HARRINGTON. So Dr. Gowadia mentioned the global nuclear detection architecture. There was a White House review of GNDA last year, and within the context of that review, some very specific areas for the programs that we run at the Department of Energy were identified as necessary to fill certain gaps. For example, our second line of defense program works very carefully and closely with DHS. We install radiological detection devices in ports where there is a lot of outgoing cargo traffic to the United States. So we try to catch things before they even are headed to the United States, and we are particularly interested in nuclear material. But radiological sources are also a very big concern, and a large number do get caught through this system, identified, isolated, and then handled appropriately.

Also internationally, since 2004—and we just actually celebrated the 10-year anniversary of our Global Threat Reduction Initiative (GTRI)—we have done an enormous amount of work internationally to both secure sources, identify disposition pathways, work with countries to develop best practices, work on an international code of conduct for the security of radiological sources. This is an extremely active area of programming for us and one where we will continue to be extremely active.

I think one of our biggest accomplishments was first identifying and then retiring the radiological thermoelectric generators (RTGs), used by Russia to power lighthouses in very remote locations and so forth. These were massive sources, and one of them could have been used for many dirty bombs. So that was a huge accomplishment over multiple years. But we have had similar kinds of work going on across the globe for the last decade.

Mr. SATORIUS. Senator, I would just add that one of the things we are doing better today that we were not doing in the past has to do with our source security rulemaking that I mentioned earlier. There are six focus areas within that rulemaking that makes it a more effective rule, and that includes, as I think I had mentioned, background checks, including FBI fingerprinting, to help ensure that individuals who are allowed next to sources, can do so unescorted, controlling personnel access where risk-significant sources are being stored, documenting security programs. In other words, a written security program that lays out how the licensees will safeguard these sources, coordinating with local law enforcement to have a plan in place in case there is theft or diversion, and coordinating and tracking radioactive source shipments such that if they become lost during shipment, there is a manner to be able to find them.

Chairman CARPER. OK, good.

Mr. TRIMBLE. I would add just one—

Chairman CARPER. Mr. Trimble.

Mr. TRIMBLE. I think the international efforts we have discussed today in terms of protecting the country highlight in an indirect



way the importance of the issues we bring up in our report, because as those pathways become more and more difficult for anyone to navigate, the easiest path is domestic. Why try to bring something in from overseas if you can just go to the local hospital or go to the warehouse to get the source? So this underscores the importance of making sure the NRC requirements for domestic medical and industrial users are robust, and the weaknesses we identified are addressed. The points I would highlight in terms of where we can do better. Specifically should be looking at the definition of "collocation" so that all vulnerable facilities are subject to the regulations, improving how we do background checks, giving better guidance on who should and should not be given such access, examining whether NRC should be playing a bigger role in that process and providing more specific guidance to companies and licensees who are not security professionals. These are commercial companies doing a business. They may have some health and science background, but they are not security professionals, so they need some more help than what we are giving them right now.

Chairman CARPER. OK, good. Let us go back to those radiography cameras. Before, one of the questions I had asked is: Are they Category I or Category II?

Mr. SATORIUS. They are Category II, and they have a source that needs to be replenished fairly often because of its half-life.

Chairman CARPER. OK. What would be "fairly often"? Every year or two?

Mr. SATORIUS. About every 3 months.

Chairman CARPER. OK. That is fairly often. All right.

Are we aware of any effort to actually mount an attack using a dirty bomb in this country or another country? Are we aware of whether someone has actually attempted, much like in Boston where we had the effort, unfortunately successful, to use pressure cookers to hurt and kill and maim a lot of people? We have seen the use of a substance in the air to poison, try to kill people in subways, so we have actually demonstrated uses of technology to hurt people. Do we have any documentation about attacks either in this country or in other countries where this was actually tried, maybe failed, maybe aborted?

Mr. SATORIUS. I do not, sir. There is the general threat that we make every effort to safeguard against. I am not sure if my colleagues are aware of any attempts to produce a dirty bomb using our sources?

Ms. HARRINGTON. If you want to followup with a classified briefing on the topic, we could go into that in more detail.

Chairman CARPER. OK. Good enough. And I am going to ask a follow-on question, and if it is one that is not appropriate to answer in this space, just say so. But people can go on the Internet and learn all kinds of things, including how to build weapons, and nuclear weapons, pressure cooker bombs, and I presume dirty bombs.

Given the access to that kind of information, why do you suppose no one has done it, at least to our knowledge—and they have certainly not been successful in doing it. And maybe it is because of the security measures that we are talking about in this country are pretty good, getting better. Maybe it is because that is true in other countries. Maybe it is not as easy as it sounds to do, and maybe

people just decided that it is too dangerous and they are going to hurt or maim other people, they maybe find some way to do it that is less damaging to the perpetrator, although a lot of them do not really seem to care about whether or not they live or die. But why? Why do you suppose we have not seen it attempted more? Mr. Trimble.

Mr. TRIMBLE. I will just jump in to start the conversation. I think the efforts of NNSA, DHS, and NRC deserve credit for all they have done to try to secure these sources. I think where the conversation is going is: Is there more that we can do, though? And that is really where our report is coming from, and really it is just premised on the idea that it only takes one to make a really bad day.

Chairman CARPER. Others, please?

Mr. SATORIUS. Just quickly, I would like to say that I believe some of the efforts that we have taken in putting together regulatory programs as well as other programs, that is certainly one of the drivers. We have made it very hard for people to get their hands on these things.

Chairman CARPER. Doctor.

Ms. GOWADIA. Senator, I would echo a lot of what you said and what we have heard today, but in a different setting, I think we can go into more specifics.

Chairman CARPER. OK. Ms. Harrington.

Ms. HARRINGTON. I support Dr. Gowadia's statement about taking this up in a different environment.

Chairman CARPER. OK. Fair enough.

Captain Satorius, let me go back to you. Let me just ask, does the NRC have any mechanisms to immediately review unescorted access decisions made by licensees? For instance, if a licensee grants unescorted access to an individual with a violent criminal history, will the NRC be immediately made aware of this action and will it be able to take immediate action?

Mr. SATORIUS. We would be able to inspect it at our next scheduled inspection activity. That is when we review the decision-making by the licensee staff on trustworthiness and reliability.

Chairman CARPER. Mr. Trimble, do you want to react to that?

Mr. TRIMBLE. My understanding of the guidelines, though, is that what is being reviewed is that the various factors were considered, but the actual decision is left to the licensee. So there is still no prohibition that if someone has convictions for certain things, they are not allowed to have access.

Chairman CARPER. Does that sound satisfactory? Should we be concerned about that, ladies?

Ms. GOWADIA. So I would respectfully defer to my regulatory colleagues on Director Satorius' position. I support and advocate for this mission because, again, the more secure these sources are, the easier it becomes for the detection end of things.

Chairman CARPER. OK. Do you think it would be helpful, again, Mr. Satorius, to require that the licensee get a second opinion from their respective State or the NRC regarding the trustworthiness of an individual?

Mr. SATORIUS. Well, Senator, I do not think it would, and the reason is, as a regulatory body, we expect our licensees to perform

these activities. We give them good guidance that they can follow and so that they will repeat the right decisions. But I would say that it is not within our purview to be consultants. We review what the licensee has done and make a decision on whether they comply with our regulations.

Chairman CARPER. In any of your regulations, does the NRC expressly prohibit licensees from granting unescorted access to individuals previously convicted of making, for example, terroristic threats?

Mr. SATORIUS. They do not.

Chairman CARPER. OK. Maybe one more question, and then we will wrap up. We are going to start voting in just a few minutes. This would be one for Ms. Harrington and for Captain Satorius. This is on security enhancement. I understand that the National Nuclear Security Administration's Global Threats Reduction Initiative works with the Nuclear Regulatory Commission, licenses State, local, and tribal governments and other Federal agencies to build on the existing regulatory requirements by providing voluntary security enhancements. Let me just ask you, Administrator Harrington, how many security enhancements has the National Nuclear Security Administration put into place on industrial and construction facilities? And a followup would be: What obstacles stand in your way from installing some form of security upgrades for all high-risk radiological sources?

Ms. HARRINGTON. I do not know if these numbers break out strictly the industrial facilities, but according to our analysis, there are approximately 3,000 buildings in the United States containing high-risk radiological sources. Of that number, we have already worked in 650 buildings providing our security upgrade program, and we intend to complete another 45 in this fiscal year. So that is, I think, a reasonable accomplishment, but that only gets us up to 700 out of 3,000.

We have also recovered—

Chairman CARPER. What about the other 2,300?

Ms. HARRINGTON. Well, those are in out-year plans, but with the budget environment as it is, we have had to extend the target date for completion farther than we had originally thought would be possible.

The other part of this is the disposition pathway for these sources, and that is often a challenge because you either have to find a secure storage facility for long-term storage or, some other way to safely dispose of those sources. It is the licensee's responsibility to do that unless the source that they have has no clear disposition pathway, in which case we can step in and assist.

Chairman CARPER. OK. Mr. Satorius, if you have something to add, feel free to do so briefly. Otherwise, I am going to bring this to a close for now. Anything else you want to add there on this point?

Mr. SATORIUS. Not on this point. I think my colleague has said it very carefully.

Chairman CARPER. OK.

Mr. SATORIUS. These are enhancements, and we believe that compliance with our regulations provide adequate protection for the public.

Senator, I do need to correct one statement I made earlier for the record, if I could do that very quickly.

Chairman CARPER. Sure.

Mr. SATORIUS. That is, not all Category I sources are inspected on a yearly basis. The periodicity of the inspection is based on the safety and robustness of the device, and some Category I sources are scheduled for periodic inspections at a greater periodicity than 1 year, at 3 years, 4 years, 5 years.

Chairman CARPER. Good. Thanks for that clarification.

Let me just say as we come to a close, our job in this Committee is to do oversight. We have the responsibility of oversight for the Department of Homeland Security. We also have broad responsibility or oversight for the whole Federal Government. And other committees have subcommittees that are responsible for investigations. Some of them take it seriously; others do not. But it is hard for one Committee such as this one to really exercise meritorious oversight over the entire Federal Government, like we have 15, 16 people, and as good as we are, and our staffs and all, it is just a little bit too much for us to handle. But one of the things we can do from time to time is partner with the GAO and ask them from time to time to look at particular issues, in this case threats, and ask the question: How are we doing? What are we doing well? Maybe what are others in other countries doing even better that we can learn from, or even particular States?

I hope and pray that the subject of today's hearing is something that will just always be the subject for a hearing or for speculation and that nobody is ever going to be hurt or inconvenienced in any way because of an attack of this nature. You never know. And what we can do is try to make sure that we are doing everything we can to hope for the best and prepare for the worst. And I am encouraged today to hear that there is a fair amount of work going on to protect our people and to share that information with other nations so that they can better protect their own folks. But I certainly do not want to hear someone ever ask the question: Why didn't somebody do something about this threat of a dirty bomb? Why didn't somebody do something to protect against it? And I want us to be able to say, well, we have worked hard in order to protect our people and our country from a threat of this nature.

So as I said earlier, everything I do I know I can do better. I think that is true of all of us, and that is true of every Federal program. And so our goal is perfection—probably hard to reach, but it is a pretty good goal for us.

So I will conclude by saying how much we appreciate not just your being here, not just preparing for the hearing, not just answering my questions, but also we appreciate very much the work you do for our country. Thank you for your service to our Nation.

The hearing record will remain open for 15 days—that is until June 27 at 5 p.m.—for the submission of statements and questions for the record, and, again, our thanks to each of you, and to our majority and minority staff, for helping us prepare for this hearing.

Thank you so much, and with that this hearing is adjourned.

[Whereupon, at 11:55 a.m., the Committee was adjourned.]

## A P P E N D I X

---

### **Opening Statement of Chairman Thomas R. Carper “Securing Radiological Materials: Examining the Threat Next Door” June 12, 2014**

*As prepared for delivery:*

A little over a year ago, the city of Boston was struck by a tragedy during the running of the 117th Boston Marathon. Two terrorists detonated pressure cooker bombs near the finish line, killing three and injuring nearly 300.

The horror of this attack will never be forgotten, but neither will the heroism that unfolded immediately afterwards. These acts of courage and selflessness saved countless lives.

Police, medical personnel, National Guardsmen, volunteers, runners and spectators all ran towards the blasts to provide immediate aid to the injured. These acts of courage and selflessness saved countless lives.

The tragic events of the 117th Boston Marathon remind us that we must constantly seek to counter the threats from homegrown terrorists and to improve our nation’s ability to anticipate – and prevent – the next attack.

A dirty bomb is any kind of crude explosive device that, when detonated, disperses radiation around and beyond the blast. If a dirty bomb successfully goes off, those who survive the blast can be exposed to harmful amounts of radiation that could cause sickness or even death. Moreover, a dirty bomb could render areas uninhabitable for many years, making it a highly disruptive weapon.

If the Boston Marathon terrorists had turned their pressure-cooker bombs into dirty bombs, then the consequences of that tragic day could have multiplied by an order of magnitude. Think about that for a minute.

For instance, when those police, medical personnel, volunteers, runners and spectators all ran toward the blast to help the injured, they could have been unknowingly exposed to harmful amounts of radiological material. In many cases, this material cannot be seen, smelled, felt, or tasted. In this hypothetical, what would have been a heroic display of courage and selflessness could have quickly spiraled into a far more deadly and disruptive situation.

Today’s hearing will focus on how we can ensure that this hypothetical situation never comes to pass. We will focus on the threat of a dirty bomb and specifically examine the security of radiological material here in communities across the country that can be used in a dirty bomb.

Two years ago, at the request of Senator Daniel Akaka, the Government Accountability Office (GAO) issued a report examining the government's efforts to secure radiological material in U.S. medical facilities.

GAO found that in many cases, this radiological material was all too vulnerable to theft or sabotage. Shortly thereafter, I joined Senator Akaka and Senator Casey in requesting that GAO audit the security of radiological material used at construction and industrial sites.

Unlike the radiological devices in hospitals that are stationary and large, industrial radiological sources are often found in small, highly portable devices, routinely used in open, populated areas. GAO will testify today on the security of these industrial radiological materials, but the messages from their audit are clear.

Despite government efforts, industrial radiological sources are far too vulnerable to theft or sabotage by terrorists or others wishing to do us harm. In fact, GAO found four cases where potential dirty bomb material was stolen between 2006 and 2012.

Moreover, GAO found two cases where individuals with extensive criminal histories were given unsupervised access to potential dirty bomb material. One of those individuals had been previously convicted of making "terroristic threats."

We will learn more about these vulnerabilities and what I think are some commonsense fixes from GAO, but let me just say this: we must do better. Given the consequences of a dirty bomb, there really is no excuse for the vulnerabilities identified by GAO. So I'll say it again, we must do better."

If we are to protect against the next Oklahoma City bombing, the next 9/11 or the next Boston Marathon bombing, we must stay several steps ahead of the terrorists. We must anticipate and neutralize their evolving ability to carry out terrorist plots well before they are ever conceived.

Today, we will also hear from three agencies that play a critical role in securing radiological material in the U.S. and preventing dirty bomb attacks from occurring.

###

**Statement of Anne Harrington  
Deputy Associate Administrator for Defense Nuclear Nonproliferation  
National Nuclear Security Administration  
U.S. Department of Energy  
Before the  
Senate Homeland Security and Government Affairs Committee  
June 12, 2014**

**INTRODUCTION**

Mister Chairman, Ranking Member Coburn and distinguished members of the Committee, thank you for giving me the opportunity to testify on the Department of Energy National Nuclear Security Administration (NNSA) efforts to enhance the security of vulnerable high-risk radioactive sources in the United States. I would like to thank you for your continued interest and leadership on this important issue of securing vulnerable radioactive sources. I would also like to thank my colleagues from the Department of Homeland Security and the Nuclear Regulatory Commission for being constructive and indispensable partners in the effort to reduce the risk of radiological incidents.

**SCOPE AND THREAT**

When President Obama launched the Nuclear Security Summit series in 2010, the primary focus was on permanent risk reduction through the elimination of Highly Enriched Uranium (HEU) and plutonium. In the wrong hands, these materials could be used in an improvised device that would have catastrophic impact. As you know, the United States, working in concert with other countries has made very significant progress in this area. For instance, these efforts have resulted in the removal or disposition of more than 2,600 kilograms of HEU and plutonium since 2010, more than enough material for 100 nuclear weapons, which includes the removal of all HEU from eight countries. Although we still have large amounts of both HEU and plutonium to remove or secure worldwide, we have for years also engaged in parallel efforts to secure high-risk radioactive sources.

One of the missions of NNSA's Office of Defense Nuclear Nonproliferation (DNN) is to reduce and protect vulnerable nuclear and radioactive material at civilian sites worldwide, primarily through the Global Threat Reduction Initiative (GTRI) program. A key goal of that program is to enhance the security of high-risk radioactive materials that could be used in a Radiological Dispersal Device (RDD) – commonly known as a “dirty bomb.” An RDD detonated in a major metropolitan area could result in economic costs in the billions of dollars as a result of evacuations, relocations, cleanup, and lost wages. Radioactive sources such as Cobalt, Cesium, Americium, and Iridium are used worldwide for many legitimate purposes and are located at thousands of sites in the United States and around the world. Since many of the sites that use these materials, such as medical, university, research, and industrial facilities are open environments, these facilities are more vulnerable to adversaries that may target these materials and are more difficult to secure. In looking at the risk, we must include not only outside terrorists attempting to steal radioactive sources as potential adversaries, but also insiders who work at these facilities who could have intimate knowledge of security procedures and vulnerabilities.

The 2014 Nuclear Security Summit Communiqué signed by 53 countries including the United States “. . . sets out a new ambition to secure all radioactive sources, such as those in industry, medicine, agriculture or research.”<sup>1</sup> In addition, the importance of securing high-risk radioactive sources was highlighted at the 2014 Nuclear Security Summit<sup>2</sup> when 22 additional countries signed onto a United States sponsored “gift basket” committing to secure all IAEA Category I radioactive materials consistent with the IAEA’s Code of Conduct on the Safety and Security of Radioactive Sources, and, where possible, to exceed those guidelines by the 2016 Nuclear Security Summit.

#### **NNSA ROLE TO REDUCE THE RADIOLOGICAL THREAT**

All three agencies appearing in today’s hearing play important roles in reducing the risk of radiological terrorism. DNN collaborates with federal partners to reduce the risk of terrorists acquiring the materials for an RDD. While the Nuclear Regulatory Commission (NRC) has the mandate to license and regulate the use of civilian radioactive sources, NNSA brings the science and expertise of its National Laboratories to develop innovative solutions to prevent the acquisition of radioactive materials by adversaries. Laboratories from across the DOE/NNSA complex bring the experience of work overseas and domestically to identify and implement security best practices.

To address the risks of terrorists or other adversaries acquiring radioactive sources, DNN, in cooperation with its federal partners, launched a program in 2007 to implement voluntary security efforts at civilian sites in the United States that use or store high-risk radioactive materials. The program components include removal of unwanted radioactive sources, hardening kits for irradiators and other devices, facility-wide voluntary security enhancements, specialized training for security and law enforcement personnel, and tabletop exercises for first responders. These voluntary security efforts complement, but do not replace, NRC’s regulatory requirements that govern domestic radiological site security.

When requested by the licensee, DNN’s GTRI program assesses existing security conditions, provides recommendations on security enhancements, and when warranted, funds the procurement and installation of jointly agreed upon technical security upgrades and training to further the level of security. We consider 14 isotopes of concern above threshold quantities, and address several areas of security including detection, delay, response, and sustainability.

These voluntary security enhancement efforts have been endorsed by the NRC, the Department of Homeland Security (DHS), the Federal Bureau of Investigation (FBI), the Organization of Agreement States (OAS), and the Conference of Radiation Control Program Directors, Inc.

---

<sup>1</sup> The Hague Nuclear Security Summit Communiqué.  
[https://www.nss2014.com/sites/default/files/documents/the\\_hague\\_nuclear\\_security\\_summit\\_communique\\_final.pdf](https://www.nss2014.com/sites/default/files/documents/the_hague_nuclear_security_summit_communique_final.pdf)

<sup>2</sup> 2014 Nuclear Security Summit; *Statement on Enhancing Radiological Security*.  
[https://www.nss2014.com/sites/default/files/documents/statement\\_on\\_enhancing\\_radiological\\_security\\_final\\_version\\_of\\_24\\_march2.pdf](https://www.nss2014.com/sites/default/files/documents/statement_on_enhancing_radiological_security_final_version_of_24_march2.pdf)



(CRCPD). NRC has issued Regulatory Information Summaries (RIS) describing these voluntary security enhancement initiatives and recommends that licensees volunteer for these DNN-GTRI efforts.<sup>3</sup>

DNN prioritizes which sites receive voluntary security enhancements by assessing the attractiveness of the site's materials for possible use in an RDD, the site's proximity to DHS Urban Area Security Initiative (UASI) locations, and the site's proximity to other volunteer sites. We estimate that there are about 3,000 buildings in the United States that house high-risk radioactive materials. As of May 31, 2014, security enhancements and training for 1,742 buildings have been completed.

Consistent with U.S. commitments at the 2014 Nuclear Security Summit, DNN will prioritize its work at sites containing IAEA Category I materials. All Category I buildings in the United States currently meet NRC regulations and the international guideline. However, DNN's GTRI program has provided additional voluntary security enhancements that build on this standard to 273 of 554 Category I sites and will reach out to the remaining 281 sites with the goal of completing security enhancements by 2016. Additional security enhancements include In-Device Delay (IDD), Remote Monitoring Systems (RMS), and promotion of an adequately trained response force that can prevent an adversary from stealing high-risk radioactive materials.

#### **Elimination – Removing Unwanted Sources**

Since 1997, DOE/NNSA's Off Site Source Recovery Project (OSRP) operated by Los Alamos National Laboratory, Idaho National Laboratory, and the CRCPD has reduced the radiological risk by recovering and eliminating disused and unwanted sealed sources. DNN, in coordination with NRC, developed recovery prioritization criteria based on risk reduction. As of May 31, 2014, DOE/NNSA has recovered over 36,000 sources.

#### **Irradiator In-Device Delay (IDD)**

A fundamental component of our voluntary security enhancement program is delay. By increasing delay (the amount of time needed by the adversary to gain access to the radioactive sources) we give more time for law enforcement to interrupt the adversary before they can steal the radioactive source. As a result of the interagency DNN /DHS Domestic Nuclear Detection Office (DNDO) cesium irradiator vulnerability study, which utilized input from the three main cesium irradiator manufacturers IDD hardening kits were developed for the most widely used models of Cesium blood and research irradiators. These IDD kits increase the difficulty for an adversary to illicitly access and steal the radioactive source.

In August 2008 the IDD kit designs were completed. The NRC and corresponding Agreement States reviewed the designs and authorized the launch of a voluntary pilot program to install the

<sup>3</sup> RIS 2010-02; NRC Regulatory Issue Summary 2010-02 The Global Threat Reduction Initiative (DNN) Federally Funded Voluntary Security Enhancements for High-Risk Radiological Materiel, January 21, 2010; <http://pbadupws.nrc.gov/docs/ML1001/ML100150354.pdf>

first IDD kits. The pilot effort was deemed a success and DNN has initiated a national implementation plan to outfit all qualifying irradiators in the United States. The total number of Cesium devices in the United States is about 1,100, of which 815 are IDD eligible at this time. Each one of these Cesium irradiators has more than enough material to be used in a significant RDD. As of May 31, 2014 IDD kits have been installed on 463 irradiators. The remaining 352 irradiators can be hardened by FY20. In addition, the manufacturers have agreed to start factory hardening, or installing IDD kits at the factory, on all new irradiator sales. DNN has expanded its IDD efforts to include devices that use Co-60

In addition to the IDD hardening kits for Cesium Chloride-based irradiators, DNN voluntary security enhancements also include other delay elements such as device tie downs, locks, hardened doors/windows, walls, cages, and safes. All of these elements increase the time it takes the adversary to gain access to the radioactive source.

#### **Detection – Remote Monitoring**

A second fundamental component of the voluntary security enhancements program is detection. Increased delay coupled with detection that allows responders to arrive prior to source removal is considered to be effective. Increased delay without detection or timely response could allow the adversary to attack the source/device all weekend and would not be sufficiently effective in providing notification to responders of an adversary attack.

DNN-GTRI supplied detection upgrades include biometric access control devices, door alarms, motion sensors, cameras, wireless electronic tamper indicating seals, and area radiation monitors. Each of these technologies provides a specific deterrence, control, and/or detection function that, when integrated together and with delay, provides a significant security enhancement in a holistic manner.

The program also deploys remote monitoring systems that provide reliable transmission of alarms to responders and addresses the insider threat. Remote monitoring systems directly mitigate the insider threat by integrating alarms from multiple detection sensors (including device tamper sensors and radiation sensors) and prioritize alarms to ensure that critical alarms receive immediate attention. Alarms are simultaneously sent to on-site and off-site locations such as local police departments, regional emergency operation centers, or security contractors. This ensures a timely response by sending a reliable transmission of alarms directly to trained off-site experts and responders and protects against a single-point failure if the insider is the on-site alarm monitor or guard.

To address the sustainability portion of our security enhancement concept, DNN provides a three to five year maintenance and warranty contract for each security enhancement device, contacts each site quarterly to follow-up on the status of the enhanced security system, and conducts one follow-on visit to determine if changes to the operating or threat environment warrant additional system enhancements.

#### **Response – Alarm Response Training**

The most important aspect of any security system is a timely, well equipped, well trained response team of appropriate size to interrupt and neutralize the adversary before they gain

access to the radioactive source. We have made a focused effort to provide security personnel and local law enforcement with the tools and training needed to adequately respond to a security incident.

Most on-site guards at facilities with radioactive sources are not armed nor do the sites have large enough force strength to neutralize the threat. Therefore, the key responders are often off-site local law enforcement. Despite regulations requiring licensees to coordinate with local law enforcement, consistent feedback received from law enforcement officials indicates that they were not aware of the nature and risks associated with the material which is in use at hospitals, blood banks, universities, oil fields and manufacturing plants in their jurisdiction. It is important for their safety, and the safety of their communities, that they receive proper training about radioactive sources, about which many misconceptions exist. To ensure that both on-site and off-site responders understand how to respond to enhanced security system alarms, we have developed an alarm response training course run by the Y-12 National Security Complex in Oak Ridge, TN. This provides a venue for licensees and law enforcement officials to be in the same room and encourages the required coordination.

This alarm response training prepares responders to protect themselves and the public when responding to events involving radioactive materials. The participants conduct hands-on training in a realistic setting using actual protection equipment and real radioactive sources. The courses include operational exercise scenarios that build on classroom instruction and allow response forces to exercise their own procedures during realistic alarm scenarios.

As of May 31, 2014, we have conducted 85 training courses for 3,226 participants from 44 states.

#### **Table Top Exercises (TTX)**

As the capstone of the voluntary security enhancement support, DNN has partnered with NNSA's Office of the Associate Administrator and Deputy Under Secretary for Counterterrorism and Counterproliferation and the FBI's Weapons of Mass Destruction Directorate to provide table top exercises at select nuclear and radiological sites. The purpose is to provide a no-fault, site-specific scenario where senior managers from various Federal, State and Municipal organizations can exercise their crisis management and consequence management skills in response to a terrorist incident. The overall objectives are:

- Promote cross-sector communication, cooperation, and team-building among Federal, State, Local, and private sector first responders;
- Exercise FBI lead responsibility for criminal investigation;
- Examine newly developed tactics, techniques, and procedures resulting from DNN voluntary security enhancements;
- Promote attack prevention through intelligence sharing and coordinated approach to neutralize the threat;
- Prepare site specific integrated response plans with Federal, State, Local, and private sector partners.

As of May 31, 2014, we have conducted 35 TTXs.

#### **Transportation**

Radioactive sealed sources may be at their most vulnerable when in transit. Recognizing this, DNN implements security upgrades beyond regulatory requirements on our own source recovery shipments. These security enhancements include:

- Use of the DOE Transportation Tracking and Communication System (TRANSCOM) system for continuous monitoring of shipments;
- Driver duress button provides an alert signal upon activation;
- Text based communications channel provides a secondary satellite-based communication capability between the truck crew and the monitoring center;
- Delay boxes for up to thirteen 55-gallon-drum-sized packages providing delay from a broad variety of breaching tools and tactics;
- Run-flat inserts for all tires – provides capability to operate the truck at highway speeds for up to 50 miles after a tire is flattened.

#### **INDUSTRIAL RADIOLOGICAL SOURCES**

DNN's voluntary radiological security program includes addressing the security of industrial radioactive sources such as mobile sources used for oil well logging and radiography and panoramic irradiators.

#### **Mobile Radiography and Well Logging Sources**

Oil Field Service companies and Nondestructive Testing companies use radioactive sources in their industry – well logging and radiography. Because these sources are mobile (as opposed to devices in other industries that remain geographically static in a fixed location for storage and operation), DNN is collaborating with device manufacturers and end users to build GPS-enabled tracking technologies for radiography and well logging devices, transport containers and transport vehicles, and will work to promote appropriate monitoring and response procedures.

For radiography devices DNN is working with the largest device manufacturer to develop a tracking and security solution that will be integrated into the device package itself. The security package will include tamper and radiation alarms that can be transmitted to monitoring stations. A secure storage box with tamper detection would be provided for transport of the device while in trucks. DNN will work with industry partners to seek cost sharing arrangements for the deployment of the security package once developed.

For well logging devices, DNN is working with a major oil services company to develop a tracking and security system for the source containers while in transport to the field. The security package for well logging sources will also include GPS tracking, radiation detection, and tamper detection. DNN is implementing the pilot on a cost sharing basis and it is anticipated that once the tracking system is developed, major industry partners would procure the system. DNN may need to work with smaller industry partners to procure these systems under cost sharing arrangements.

The successful deployment of tracking and security systems with well logging and radiography devices may provide a security solution for these devices in storage as well as while mobile, thus reducing the number of buildings that require comprehensive site security upgrades and enabling DNN to accelerate overall program timelines.

#### **Panoramic Irradiators**

Panoramic irradiators have the highest activity of devices that use radioactive sources containing 1 to 7 million curies of Cobalt-60. The activity in Cobalt-60 sources in panoramic irradiators accounts for over 98% of the total activity in all civilian radiation sources in the United States. Industrial panoramic irradiators are used to irradiate single-use medical devices and products, cosmetics, food, and plastics. The sealed source is contained in a storage pool and is fully shielded when not in use; the sealed source is exposed within a radiation volume that is maintained inaccessible during use by an entry control system. These panoramic irradiators require re-sourcing every 18-24 months, which involves the transport of large quantities of Cobalt-60 throughout the United States and installation of Cobalt-60 pencils in the source rack. There are two major companies which operate the majority of the more than 60 industrial panoramic irradiators in use in the United States.

Due to the complexities of designing and installing security enhancements for panoramic irradiators, DNN is implementing a pilot security project at one panoramic facility. Once the pilot is proven successful and a working security system is installed, DNN will work with the industry partners to gain buy-in for expansion of security enhancements to the other panoramic irradiator sites.

#### **Government Accountability Office (GAO) RECOMMENDATIONS**

In the GAO's September 2012 report on Security of Radiological Medical Sources<sup>4</sup>, the GAO recommended that NNSA increase outreach efforts to promote awareness and participation in NNSA's security program giving special attention to medical facilities with high-risk radioactive sources located in or in close proximity to urban areas. NNSA DNN has developed a strategy to further enhance its outreach efforts by:

- Accelerating and expanding outreach activities in conjunction with State regulators in states with the most IAEA Category I sites remaining, including Georgia, Florida, Wisconsin, Illinois, Texas, and California;
- The development and issuance of publications on DNN Security Recommendations for Users of Radioactive Sources and Security by Facility Design;
- Assisted NRC in creation of its security best practices guide.

The GAO's Draft May 2014 report on Security of U.S. Radiological Sources included a recommendation to NNSA stating "to better leverage resources, including expertise, to address

<sup>4</sup> GAO-12-925, United States Government Accountability Office, *Nuclear Nonproliferation: Additional Actions Needed to Improve Security of Radiological Sources at U.S. Medical Facilities*. <http://www.gao.gov/products/GAO-12-925>

vulnerabilities with radioactive sources while in transit, we recommend that the Administrator of NNSA, the Chairman of the NRC, and the Secretary of DHS review their existing collaboration mechanism for opportunities to enhance collaboration, especially in the development and implementation of new technologies.”<sup>5</sup>

In implementing voluntary security enhancements at sites with radioactive sources, NNSA has maintained close coordination and cooperation with Federal, State, and local agencies and the private sector. In particular, we have established strong working relationships with the NRC, DHS, and the FBI.

To coordinate these complementary efforts, DNN participates regularly in meetings of the DHS-chaired Nuclear Sector Government Coordinating Council, the NRC-led Radiation Source Protection and Security Task Force, tri-lateral meetings comprised of senior representatives from NNSA, DHS, FBI, and NRC, and many additional working level meetings. These coordination venues have helped communicate to officials throughout the government so that they are aware of new initiatives, ongoing implementation efforts, and challenges encountered with enhancing radioactive source security.

In response to the GAO’s recommendation, NNSA will continue to seek opportunities to further enhance coordination. DNN has recently briefed DNDO on its material tracking technology plans, has provided briefings on DNN RDD studies, and is jointly exploring options to enhance collaboration on response training and exercises.

#### **STRATEGY FOR PERMANENT THREAT REDUCTION**

While DNN continues to proceed with implementation of security enhancements for high-risk radioactive materials, several factors led DNN to consider a new strategic approach to addressing the RDD threat through actions that achieve permanent and sustainable threat reduction. These factors include the large number of radioactive sources worldwide, the ongoing production of new devices with radioactive sources, and the long-term costs for sustaining security systems.

DNN is developing a broader strategic approach to achieve more permanent risk reduction for vulnerable radioactive materials that will complement the existing removal project. The centerpiece of this strategy is to lead a worldwide effort to provide reliable non-radioactive alternatives for the highest activity radioactive sources that pose the greatest risk. DNN will promote the conversion or replacement of devices that use radioactive materials to non-radioactive material devices, thereby removing certain risk of an RDD threat to the United States and worldwide. DNN is considering the provision of incentives for replacement where commercially viable alternatives exist and is collaborating with Defense Nuclear Nonproliferation’s Research and Development Office to explore and assess technological improvements that could be developed and ultimately transferred to industry for commercialization where necessary.

<sup>5</sup> GAO-14-203 DRAFT, United States Government Accountability Office, *Nuclear Nonproliferation: Additional Actions Needed to Increase Security of U.S. Industrial Radiological Sources*.

For instance, DNN is exploring the possibility of providing incentives for replacement of Cesium irradiators with commercially available x-ray devices, which might include cost sharing for new x-ray devices along with payment for removal of the Cesium irradiator. This approach may accelerate program timelines by implementing replacements instead of enhancing security and also will achieve permanent threat reduction. DNN is exploring the feasibility of replacement options for other devices including teletherapy, radiography, and well logging.

**CONCLUSION**

Our efforts on radioactive security measures to reduce the risk of terrorists acquiring an RDD are vital. We will continue to seek innovative approaches to enhancing security of high-risk radioactive materials. With your continued support, NNSA will continue to work with federal and industry partners to implement security enhancements on an accelerated basis in the most cost effective manner possible.

That concludes my statement and I will be happy to respond to your questions.

Huban A. Gowadia  
Before the U.S. Senate Committee on  
Homeland Security and Governmental Affairs  
June 12, 2014

Good morning Chairman Carper, Ranking Member Coburn, and distinguished Members of the Committee. As Director of the Department of Homeland Security's (DHS) Domestic Nuclear Detection Office (DNDO), I am pleased to testify today with my distinguished colleagues to discuss efforts to prevent and prepare for radiological events.

Since its inception, DNDO has built essential partnerships, architecture, and capabilities to detect and interdict radiological and nuclear threats. My testimony today focuses on that work and our continued efforts to improve information sharing and collaboration with our state and local partners.

**DNDO's Efforts to Prevent and Prepare for Radiological and Nuclear Terrorism**

Radiological and nuclear terrorism remains one of the greatest threats not only to our Nation's security, but to global security. Such an attack would have profound and prolonged impacts to our Nation and to the world. DNDO works with federal, state, local, international, and private sector partners to develop the appropriate detection capabilities to prevent and prepare for radiological and nuclear events.

DNDO's focus is on detecting and reporting attempts to import, possess, store, develop, or transport radiological and nuclear material that is out of regulatory control, and may be used against the Nation. We work closely with the Nuclear Regulatory Commission and the Department of Energy (DOE), who are responsible for securing radioactive materials. Together, they are working on initiatives to improve the security of risk-significant sources. Although DNDO is not directly involved in the physical security of radioactive sources, we coordinate with federal, state, and local agencies to detect and locate materials once they are lost or stolen.

Recognizing the threat posed by radiological and nuclear materials, DNDO was created by Presidential Directives NSPD-43 and HSPD- 14. DNDO was subsequently given statutory authority by Title V of the SAFE Port Act (Pub. L. No. 109-347), which amended the Homeland Security Act of 2002. Pursuant to Section 1902 of the Homeland Security Act, along with its technical nuclear forensics mission, DNDO is required to develop, with the approval of the Secretary and in coordination with the Intelligence Community, the Departments of Energy, State, Defense and Justice, and other components within DHS and our international partners, an enhanced global nuclear detection architecture, and is responsible for implementing its domestic component. The global nuclear detection architecture is a framework for detecting, analyzing, and reporting on nuclear and other radioactive materials that are out of regulatory control. Working with our partners, DNDO conducts transformational research, development, testing, and evaluation of advanced detection technologies, measures detector system performance, and ensures effective response to detection alarms. Additionally, DNDO leads the development and implementation of the national strategic five-year plan for improving the nuclear forensic and attribution capabilities of the United States required under section 1036 of the National Defense Authorization Act for Fiscal Year 2010. Nuclear forensics serves as the technical component of



Huban A. Gowadia  
 Before the U.S. Senate Committee on  
 Homeland Security and Governmental Affairs  
 June 12, 2014

our capability to attribute nuclear events. As such, it is a keystone of the U.S. policy to hold fully accountable any state, terrorist group, or other non-state actor that supports or enables terrorist efforts to obtain or use weapons of mass destruction.

While DHS focuses on threats of all types, DNDO's sole focus is on the prevention of radiological and nuclear terrorism. To maximize the ability to detect and interdict threats, we rely on the critical triad of intelligence (including information sharing), law enforcement (including training), and technology. In doing so, we apply detection technologies in intelligence-cued searches conducted by well-trained law enforcement and public safety officials. Contributions from our state and local partners are vital to the domestic component of the global nuclear detection architecture. As such, we continue to work with them to build a flexible, multi-layered, domestic architecture based on capabilities that can be integrated with federal assets into a unified response when intelligence or information indicates a credible nuclear threat.

#### **Intelligence and Information Exchange**

The first leg of the critical triad is intelligence and information sharing, which forms the backbone of a robust detection architecture. State and major urban area fusion centers, State Emergency Control Centers, and the Federal Bureau of Investigation regional offices provide the necessary information exchange pathways. In the event of an emergency, this connected system provides federal, state, and local personnel with the ability to exchange sensitive information in a timely and secure fashion.

DHS as a whole has enhanced information sharing capabilities by:

- Improving production and dissemination of classified and unclassified information regarding threats to the Homeland;
- Continuing to improve analytic capabilities through the development of a national network of state and major urban area fusion centers so that national intelligence can be incorporated into a local context;
- Standardizing how we train state and local law enforcement to recognize indicators of terrorism-related criminal activity and report these suspicious activities to Joint Terrorism Task Forces for investigation and to fusion centers for analysis;
- Increasing community awareness and encouraging the public to report suspicious activity to local authorities;
- Deploying 70 Homeland Secure Data Network systems across the country to provide access to classified information and intelligence at the local level;
- Training state and local analysts at fusion centers to ensure they have the necessary skills and expertise to analyze and fuse intelligence and information from the Intelligence Community with local/regional context and produce relevant and timely products for their stakeholders; and

Huban A. Gowadia  
 Before the U.S. Senate Committee on  
 Homeland Security and Governmental Affairs  
 June 12, 2014

- Developing tailored product lines to meet the needs of state and local partners, and expanding the distribution of products to ensure all relevant and appropriate information is shared with state and local partners.

#### *Joint Analysis Center*

DNDO's Joint Analysis Center is also essential in enhancing situational awareness, as well as providing technical support and informational products, to federal, state, and local partners. The Joint Analysis Center utilizes a secure web-based dashboard to collaborate with mission partners and uses a geographic information system to show detection information, detectors, situational awareness reports, and other overlays in a geospatial viewer. Utilizing the Joint Analysis Center Collaborative Information System (JACCIS), DNDO facilitates nuclear alarm adjudication and the consolidation and sharing of information and databases. JACCIS provides our state and local partners with the ability to manage, document, and execute a radiological and nuclear detection program. This includes the ability for them to maintain training, certification, and Memoranda of Understanding and Memoranda of Agreement between jurisdictions. JACCIS also consolidates and maintains a database of detector equipment and Nuclear Regulatory Commission State licenses. Finally, through this information system, we connect to the Triage system, maintained by the DOE's National Nuclear Security Administration, to enable a seamless transition when national-level adjudication assistance is required.

#### **DHS Capacity Building with Operational Partners**

The second leg of the critical triad is law enforcement. DHS realizes that state and local law enforcement officers and public safety officials are on the frontline of detection and prevention efforts and we work very closely with them to ensure that they have the equipment, training, and information necessary to prevent and prepare for threats. Through Federal Emergency Management Agency grants and other DHS programs such as Securing the Cities, we have helped our state and local partners procure and deploy radiation detection equipment across the Nation. This equipment is one of the building blocks for a radiation detection program.

DHS has made considerable progress in enhancing radiation detection capabilities by:

- Engaging with 29 states to raise awareness and begin developing formal radiological and nuclear detection programs. By the end of Fiscal Year 2015, DNDO plans to expand its efforts to reach all 50 states.
- Supporting activities in the New York City/Jersey City/Newark region. Through the Securing the Cities program, DNDO has developed a robust regional nuclear detection program that serves as a model for future sites.
- Based on lessons learned in the first implementation, DNDO expanded the Securing the Cities program in Fiscal Year 2013 to the Los Angeles/Long Beach area and will select a third region in Fiscal Year 2014.

Huban A. Gowadia  
Before the U.S. Senate Committee on  
Homeland Security and Governmental Affairs  
June 12, 2014

In addition, DNDO provides the ability to surge resources for use during special events, times of increased threat, or in response to information or events that indicate the need for enhanced detection capabilities. This is conducted using Mobile Detection Deployment Units, trailer-based units outfitted with an extensive suite of nuclear detection equipment and communications capabilities. These units are deployed regionally across the United States and can be deployed as needed to augment federal, state, and/or local capabilities. Each unit is configured to outfit numerous personnel and contains a number of systems that can be used in vehicle backpacks, high-resolution handheld devices, personal radiation detection devices, communications, and tracking equipment. When deployed, the unit is accompanied by technical support staff to train federal, state, and/or local personnel on the use of equipment and to help integrate these surge capabilities into other protective operations. Since 2009, DNDO has deployed the Mobile Detection Deployment Units to more than 149 special security events and exercises in support of federal, state, and local law enforcement and public safety personnel.

Training is an essential element of the law enforcement leg of the critical triad. This is particularly important since, the ability to detect illicit radiological and nuclear material is a perishable skill that must be continuously refreshed. Consequently, in addition to assisting our partners with the procurement of detection systems, DNDO supports the development and delivery of robust training programs to expand and enhance radiation detection capabilities. Through many collaborative efforts, we have provided radiation detection training to over 27,000 state and local law enforcement personnel and first responders.

A significant part of any training program includes exercises. To this end, we work with our state and local partners to design and conduct realistic exercises that provide operators with valuable hands-on experience in radiological detection operations. Annually, we conduct approximately 15 tabletop or full-scale exercises across the country that specifically stress operators' ability to detect radiological material that is out of regulatory control.

In the day-to-day work of a first responder, the occurrence of illicit radiological or nuclear incidents is rare, making training, exercises, and assessments particularly important so that individuals remain ready to react to an actual incident. This is where we bring to bear our unique red team capabilities that can challenge our operational partners with uncommon nuclear sources and scenarios. Annually, DNDO's red team conducts over 20 operations, evaluating deployed systems and operations, and their associated tactics, in as-close-to-realistic environments as possible. They utilize adversary tactics and scenarios to challenge federal, state, and local operators performing radiological and nuclear detection and interdiction operations.

#### **New Technologies for Nuclear Detection**

The final leg of the critical triad is technology. DNDO continues to develop breakthrough technologies with significant operational impacts on our national capability to detect radiological and nuclear threats. For example, DNDO led the development of next-generation radioisotope identification devices which are used by law enforcement officers and technical experts during

Huban A. Gowadia  
Before the U.S. Senate Committee on  
Homeland Security and Governmental Affairs  
June 12, 2014

operations to identify radioactive material. We worked closely with U.S. Customs and Border Protection, U.S. Coast Guard, the Transportation Security Administration, and state and local operators to identify key operational requirements for the design of the new system. Based on an enhanced detection material, lanthanum bromide, and improved algorithms, this new handheld technology is easy-to-use, lightweight, and more reliable. Additionally, because it contains built-in calibration and diagnostics, it has a much lower annual maintenance cost.

Several DNDO sponsored research efforts have led to new commercial products providing federal, state, and local law enforcement and public safety personnel with enhanced operational capabilities. DNDO funded the development of Strontium Iodide and Cesium Lithium Yttrium Chloride which are radiation sensing materials with enhanced detection characteristics. Commercial product lines using these enhanced capabilities are now available, and DNDO proactively engages industry to procure commercial-off-the-shelf devices to field such new technologies for nuclear detection.

By eliminating technical risk for industry, DNDO's research in networked radiation detector systems has led to making commercial products available to responders. These networked detector systems provide operators with enhanced detection, location, and tracking abilities. DNDO also supports ground-breaking research to improve current capabilities. For example, we are developing a next generation aerial radiological detection system, the Airborne Radiological Enhanced-Sensor system. This system places highly-sensitive radiation detector arrays with a visual target tracking capability aboard a helicopter to provide responders with a significantly enhanced ability to detect threats on the ground and at sea.

DNDO has also made strides in protecting the Nation from nuclear terrorism through test and evaluation assistance. To develop effective detection programs, federal, state, and local partners require reliable information on the technical performance, operational effectiveness, and suitability of currently available nuclear detection equipment. DNDO has established a robust test and evaluation capability to rigorously assess commercially available detection systems against national and international standards and in operational scenarios. For instance, DNDO recently completed the Illicit Trafficking Radiation Assessment Program, a collaboration with the European Commission's Joint Research Center and the International Atomic Energy Association. The program tested nearly 80 available instruments against consensus standards. The testing enabled our stakeholders to compare the performance of commercially available radiation detection equipment and provided manufacturers with constructive feedback on their products.

By including operational partners in the planning and execution of test events, we ensure the equipment is tested in the manner in which it will be used. Such tests independently assess system performance and provide operational data to develop effective concepts of operation. Since inception, DNDO has conducted over 96 test campaigns that involve all classes of nuclear detection systems, including personal radiation detectors, handheld, backpack and mobile

Huban A. Gowadia  
Before the U.S. Senate Committee on  
Homeland Security and Governmental Affairs  
June 12, 2014

detection systems, radiation portal monitors, and radiation detection systems suitable for maritime environments and aerial platforms. The results of these efforts are shared with operational partners to inform acquisition decisions.

**Conclusion**

Chairman Carper, Ranking Member Coburn, and distinguished Members of the Committee, thank you for the opportunity to discuss the ongoing efforts of DNDO to prevent and protect against radiological threats.

I appreciate your continued support as we work with our partners to make nuclear terrorism a prohibitively difficult undertaking for the adversary. By developing, evaluating, and deploying the right technologies, ensuring timely intelligence and information sharing, and regularly training and exercising with our law enforcement and public safety officials, we can effectively protect our Homeland from radiological and nuclear terrorism.

**WRITTEN STATEMENT**  
**BY MARK A. SATORIUS, EXECUTIVE DIRECTOR FOR OPERATIONS**  
**UNITED STATES NUCLEAR REGULATORY COMMISSION**  
**TO THE**  
**SENATE COMMITTEE ON HOMELAND SECURITY AND GOVERNMENTAL AFFAIRS**  
**JUNE 12, 2014**

Good morning Chairman Carper, Ranking Member Coburn, and distinguished Members of the Committee. I appreciate the opportunity to appear before you today on behalf of the U.S. Nuclear Regulatory Commission (NRC). Today I'd like to address the NRC's activities to ensure the security of radioactive sources.

Radioactive source security has been, and continues to be, a top priority for the NRC. The NRC's efforts have been effective, keeping incidents involving radioactive sources to a minimum, and their potential consequences low. The NRC continues to work with the 37 Agreement States and domestic and international organizations on a variety of initiatives to make risk-significant radioactive sources even more secure and less vulnerable to malevolent use.

Brief History of Materials Security at NRC

The events of September 11, 2001, changed the threat environment and resulted in significant strengthening of the security of radioactive sources. While the NRC's fundamental goals to protect public health and safety, promote the common defense and security, and protect the environment, remained unchanged, the NRC recognized a need to increase its requirements for security of radioactive sources. Immediately following September 11, 2001, the NRC, working with other Federal and State agencies, prioritized actions to enhance the security of radioactive sources and facilities. These initial actions resulted in the NRC disseminating a number of security advisories to NRC and Agreement State licensees, recommending specific actions to enhance security, address potential threats, and communicate general threat information. Although these security advisories did not impose legally binding requirements, much of the regulated community understood the change in the threat environment and the need for increased security and implemented the recommended actions.

With voluntary security measures in place, the NRC proceeded with multiple activities in parallel. The NRC provided experts to serve on both national and international working groups to determine what radioactive sources needed enhanced security. The NRC staff also actively participated in studies, both domestic and international, to examine commonly used medical, academic, and industrial radioactive sources. These efforts eventually became the list of sources found in the International Atomic Energy Agency Code of Conduct on the Safety and Security of Radioactive Sources.

The NRC sought to move away from voluntary security enhancements and toward legally binding requirements subject to inspection and enforcement. As this transition occurred, the NRC recognized the need to carefully integrate this increased security with the existing regulatory structure for safety of radioactive and to ensure that security measures do not diminish safety. Together with the law enforcement and intelligence communities, the NRC staff conducted threat analyses to document the credible motivations, intentions, and capabilities of potential adversaries. The NRC also conducted facility security assessments to help inform the additional security and control measures needed to protect against the risk of malevolent use risk-significant radioactive sources. Once the NRC identified specific actions that licensees needed to take to enhance the security and control of risk-significant sources, the NRC incorporated all this information to develop requirements to improve the ability to detect, assess, and interrupt adversaries who attempt to steal, divert, or sabotage radioactive sources. These requirements included:

- Access controls, including fingerprinting and background checks for personnel with unescorted access to the sources
- Detection, assessment, and response capabilities
- Transportation controls
- Information protection

The NRC issued Orders that imposed legally binding requirements on individual licensees. The need for urgency revealed by threat assessments and facility security assessments made it essential for the NRC to act quickly to remove any security gaps by using orders, rather than the normal rulemaking process which takes longer.

In order to prioritize its work on risk significance, Orders for the most risk significant facilities, such as commercial nuclear power plants, were first issued in 2002. Orders were issued to large panoramic and underwater irradiators in June 2003, manufacturers and distributors of radioactive material in January 2004, and licensees transporting radioactive materials in July, 2005. Other risk-significant materials licensees received Orders in late 2005.

In 2005, the Energy Policy Act expanded the NRC's authority to ensure the security and control of additional risk-significant materials, and required fingerprinting and Federal Bureau of Investigation (FBI) criminal history records checks for individuals with unescorted access to risk-significant radioactive sources. This legislation also mandated the development of a national registry of radioactive sources. Accordingly, in 2007, the NRC and Agreement States issued additional security Orders to licensees requiring fingerprinting and an FBI criminal history background check on anyone with unescorted access to risk significant radioactive sources.

The Energy Policy Act of 2005 also established an interagency task force on radiation source protection and security under the lead of the NRC to evaluate and provide recommendations to the President and the Congress relating to the security of radiation sources in the U.S. from potential terrorist threats. This task force submitted its first report to the President and Congress in August 2006, concluding that there were no significant gaps in the area of radioactive source protections and security that were not already being addressed. The Task Force submitted its second report to the President and Congress in August 2010, providing an update on the progress made since the 2006 report and proposing new recommendations in an effort to continue to improve the security of radioactive sources. The Task Force will submit the third report in August, 2014.

#### National Materials Management Program

In late 2006 and early 2007, the U.S. Government Accountability Office (GAO) conducted a test on the NRC's controls governing the issuance of licenses for possessing certain types of radioactive sources, and for enforcing possession limits on the quantities of those materials. GAO reported that they were able to obtain radioactive sources licenses for two fictional companies, modify the licenses to raise the possession limits, and then use the augmented licenses to receive quotes for purchasing radioactive sources from legitimate licensees. GAO did not acquire the materials.



A hearing was held July 12, 2007, by the Permanent Subcommittee on Investigations of this Committee following issuance of GAO's report. At that hearing, a web-based licensing (verification) system was discussed which would allow suppliers to validate purchaser licenses, and the authorized quantity that a purchaser could obtain.

In an effort to better track transactions of radioactive sources nationally, the NRC developed a portfolio of automated tools to track credentials, inspections, devices and sources, and events, and verify licenses. This portfolio includes: the National Source Tracking System (NSTS), the Web Based Licensing (WBL) System and the License Verification System (LVS).

The NSTS allows the NRC to follow transactions of nationally-tracked, high-risk radioactive sources from origin, through transfer to another licensee, to final disposition. The WBL System assists in managing the NRC's licensing information regarding businesses that use radioactive sources. The LVS is a "national verification system" that accesses license information and ensures that only authorized licensees obtain radioactive sources in authorized amounts. These systems ensure that national radioactive source authorization, possession, and transaction information is available to all government agencies that protect the country from radiological threats; provide licensees with a secure automated means to verify license information and possession authorization prior to initiating radioactive source transfers; enable the NRC and the Agreement States to monitor the location, possession, transfer, and disposal of high-risk radioactive sources throughout the country; improve source accountability by licensees; and alert regulators to track discrepancies.

#### Improvements in Pre-licensing Activities

Another recommendation of the 2007 GAO report was for the NRC to improve its pre-licensing activities. As a result, the NRC ceased relying on the presumption that applicants for a license were acting in "good faith," and instead instituted a policy by which the NRC and the Agreement States would verify the legitimacy of applicants when first dealing with them. We also issued pre-licensing guidance that includes various applicant and licensee screening activities and site visits to ensure radioactive source will be used as intended.

#### Integrated Materials Performance Evaluation Program

In the area of materials security, the NRC and Agreement State regulatory agencies have worked together to create a strong and effective regulatory framework that provides an

appropriate level of security for risk-significant radioactive sources to ensure adequate protection of public health and safety, and provide for the common defense and security.

The Atomic Energy Act (AEA) gives the NRC preemptive authority over health and safety and common defense and security regulation of the possession and use of AEA materials. Subsequent amendments to the AEA added Section 274 of the Act which created the Agreement State program, under which the NRC may relinquish its health and safety authority of AEA material specified in formal agreements. When a State applies to become an Agreement State, the NRC reviews the State's regulatory program to ensure that the program is both adequate to protect public health and safety and compatible in all other respects with the NRC's own program. In addition, the AEA does not allow the NRC to relinquish the authority to protect the common defense and security to an Agreement State. Thus, the Commission retains the authority to impose security requirement on Agreement State licensees.

The AEA also requires the NRC to periodically review the 37 Agreement State programs. In 1997, the Commission fully implemented a process, the Integrated Materials Performance Evaluation Program (IMPEP), to assess its own regional materials programs as well as those of the Agreement States. The program uses a set of common performance indicators as a basis for an integrated assessment of a regional or Agreement State program. The IMPEP provides the NRC with a systematic, integrated, and reliable evaluation of the strengths and weaknesses of the respective programs. This in-depth process provides an indication of areas in which NRC and the Agreement States should dedicate more resources or management attention.

#### NRC Regulations (10 CFR Part 37)

Developing a radioactive source security rulemaking to replace the Orders and State requirements described above, and provide generally applicable requirements to a broad set of licensees required a significant collaborative effort between the NRC and the Agreement States. This rulemaking was informed by numerous insights regarding implementation of the Orders, as informed by inspections, self-assessments, and external audits. The challenge was to create a materials security rule that incorporated realistic approaches to enhancing security and that would interface and integrate well with the NRC's existing safety rules.

The resulting rule (10 CFR Part 37) is an optimized mix of performance-based and prescriptive requirements that provide the framework for a licensee to develop a security

program for risk significant materials with measures specifically tailored to their facilities. The rule became effective May 20, 2013; compliance was required for NRC licensees by March 19, 2014. Agreement State licensees must fulfill compatible requirements by March 2016. Key requirements include:

- Background checks, including fingerprinting, to help ensure that individuals with unescorted access to radioactive sources are trustworthy and reliable;
- Controlling personnel access to areas where risk-significant radioactive sources are stored and used;
- Documented security programs that are designed with defense in depth to detect, assess, and respond to actual or attempted unauthorized access events;
- Coordination and response planning between licensees and local law enforcement agencies for their jurisdiction;
- Coordination and tracking of radioactive source shipments; and
- Security barriers to discourage theft of portable devices that contain risk-significant radioactive sources.

#### Inspection and Enforcement

Trained NRC inspectors and investigators identify violations of security requirements through routine and special inspections. When violations of security requirements are identified, licensees are required to implement corrective actions before the inspector completes the inspection. NRC inspectors verify and evaluate these corrective actions during subsequent inspections. After a violation is identified, the NRC assesses the significance of a violation by considering the actual safety and/or security consequences, the potential consequences, and any willful aspects of the violation. Depending on the severity of the violation, the NRC may impose civil enforcement actions, and the licensee may also be subject to criminal prosecution.

The NRC has an extensive training program for personnel conducting security inspections. The NRC training program is available to Agreement States as well, and only qualified inspectors can conduct security inspections. Qualification requires a candidate both to complete training and to accompany qualified inspectors on inspections. In addition to providing training, the NRC also maintains a secure online information-sharing tool for NRC and Agreement State inspectors. This resource is available for inspectors seeking additional guidance to resolve questions related to security of risk-significant radioactive material.

GAO Audits

The NRC radioactive source security program has been the focus of two recent GAO audits. In the first audit, the GAO reviewed the NRC's security requirements for risk-significant radioactive sources possessed, and in use at U.S. medical facilities. However, because the 10 CFR Part 37 regulations were not in effect at the time of this most recent GAO audit, the GAO report focused on the NRC security requirements that were issued to licensees by Orders. As noted earlier, the Part 37 rule did not simply codify the security orders, but expanded upon the security requirements in those Orders. The 2012 GAO report concluded that the NRC security controls needed to be strengthened because they do not prescribe specific security measures (such as specific requirements on the use of cameras, alarms and other physical security measures) that the licensee should take to secure their radiation sources.

The NRC did not agree with this conclusion. The NRC believes prescriptive "one-size-fits-all" regulations may result in either excessive or non-conservative approaches to source security. The GAO based its conclusions on four examples identified during its field work to support their final report (out of 26 facilities GAO visited). The NRC and Agreement States conducted follow-up evaluations with three of the licensees GAO identified, and concluded that there was no violation of NRC security requirements. The NRC was unable to identify the fourth licensee to pursue further action. The NRC's and Agreement States' view is that such a failure to properly implement security controls would be a compliance issue to be addressed through inspection and enforcement. This example does not indicate that the performance based regulatory framework itself is inadequate.

While the NRC did not agree with the GAO recommendation for prescriptive-based regulation, the NRC did acknowledge the GAO concerns that some of the licensee personnel with security responsibility lack expertise in physical security, which may result in inconsistent application of security controls to their programs. In response to this recommendation, the NRC developed and provided additional written guidance to instruct licensees on best security practices. This best practices document is in addition to the implementation guidance document already developed to accompany the publication of 10 CFR Part 37.

The latest GAO audit reviewed the NRC program of security requirements for risk-significant radioactive sources used in industrial settings. In this audit, GAO raised concerns with how the NRC defines collocation of sources, the trustworthiness and reliability process (questioning whether it provides reasonable assurance against an insider threat), and the

development of the best security practices document (specifically that licensees were not directly involved in the development of this document).

Again, this GAO report focused on the NRC security requirements that were issued to licensees by Orders because the 10 CFR Part 37 regulations were not in effect at the time of the audit. The NRC acknowledges the concerns raised by the GAO in the most recent audit, and is committed to reviewing the effectiveness of the requirements to determine whether any additional security enhancements are necessary. If additional measures are needed, the Commission will consider appropriate security enhancements.

#### Federal Collaboration

Nuclear and radioactive materials are a critical and beneficial component of global medical, industrial, and academic efforts. Domestically, the NRC and the Department of Energy/National Nuclear Security Administration (NNSA) have worked together with a common goal of ensuring radioactive sources are not being used for malevolent purposes.

NNSA, through its Global Threat Reduction Initiative (GTRI), provides government-funded physical security enhancements to licensees on a voluntary basis. These voluntary enhancements are supplementary to, but do not replace, licensees' obligations to meet NRC and Agreement State regulatory requirements. The voluntary security enhancements go beyond the NRC's regulatory requirements. The NNSA program also provides other important and valuable benefits and enhancements, including removal of disused radioactive sources and specialized training for local law enforcement.

#### Looking Forward

Since September 11, 2001, the NRC and Agreement States have worked together to create a strong, effective regulatory framework that provides an appropriate level of security for risk-significant radioactive sources to ensure adequate protection of public health and safety, and the common defense and security. The NRC's efforts in radioactive source security have not ended with the publication and implementation of our 10 CFR Part 37 rule. The NRC will continue to assess its programs to ensure they promote the safe and secure use and management of radioactive sources.



---

United States Government Accountability Office

Testimony  
Before the Homeland Security and  
Governmental Affairs Committee,  
U.S. Senate

---

For Release on Delivery  
Expected at 10:30 a.m. ET  
Thursday, June 12, 2014

## NUCLEAR NONPROLIFERATION

### Additional Actions Needed to Increase the Security of U.S. Industrial Radiological Sources

Statement of David Trimble  
Director, Natural Resources and Environment

---

Chairman Carper, Ranking Member Dr. Coburn, and Members of the Committee:

I am pleased to be here today to discuss the challenges federal agencies face in securing industrial radiological sources. The Nuclear Regulatory Commission (NRC) plays an important role in licensing and regulating the security of radiological sources in the United States. In addition, 37 states are responsible for implementing licensing programs, including security inspections, for industrial radiological sources. These states are referred to as "Agreement States."<sup>1</sup> The National Nuclear Security Administration (NNSA) provides security upgrades to U.S. facilities with high-risk radiological sources beyond what NRC requires. In addition to NRC and NNSA, the Department of Homeland Security (DHS) is the primary federal agency responsible for implementing domestic nuclear detection efforts. My remarks today are based on our report that is being released at this hearing, entitled *Nuclear Nonproliferation: Additional Actions Needed to Increase the Security of U.S. Industrial Radiological Sources*.<sup>2</sup>

Radioactive material is used worldwide for legitimate commercial purposes, including industrial processes in the oil and gas, aerospace, and food sterilization sectors. It is typically sealed in a metal capsule, such as stainless steel, titanium, or platinum, to prevent its dispersal and is commonly called a sealed source.<sup>3</sup> Some of these sources are highly radioactive and are found in a variety of devices, ranging from mobile industrial radiography sources containing hundreds of curies of iridium-192 to larger irradiators with thousands, or even millions, of curies of cobalt-60.<sup>4</sup> In the hands of terrorists, these sources could be used to produce a simple and crude, but potentially dangerous weapon known as a radiological dispersal device or dirty bomb, whereby conventional explosives are used to disperse radioactive material.

The potential vulnerability of radiological sources was highlighted in December 2013 when a truck in Mexico carrying a cobalt-60 source was

---

<sup>1</sup>42 U.S.C. § 2021(b) (2013).

<sup>2</sup>GAO, *Nuclear Nonproliferation: Additional Actions Needed to Increase the Security of U.S. Industrial Radiological Sources*, GAO-14-293 (Washington, D.C.: June 6, 2014).

<sup>3</sup>Such material includes americium-241, cesium-137, cobalt-60, and iridium-192.

<sup>4</sup>A curie is a unit of measurement of radioactivity.

---

stolen. Although the source was recovered 2 days later, NNSA officials said that the container housing the source was opened by the thieves, and NNSA was uncertain whether the intended target was the truck or the radiological source.

The threat of an individual stealing a radiological source includes both an outsider and insider threat. According to the Federal Bureau of Investigation's (FBI) website, a company can often detect outsiders (i.e., nonemployees) and mitigate the threat of them stealing company property. However, the individual who is harder to detect is the insider—the employee with legitimate access.

The security of radiological sources in the United States has been a focus of our work over the past several years, and we have reported on the challenges federal agencies face in ensuring their security and have recommended specific actions to address them. Specifically, in September 2012,<sup>5</sup> we reported that, at the 26 selected hospitals and medical facilities we visited, NRC's controls did not consistently ensure the security of high-risk radiological sources.

In this context, my testimony today summarizes the findings from our most recent report on industrial radiological security in the United States. Accordingly, this testimony addresses (1) the challenges in reducing the security risks posed by high-risk industrial radiological sources and (2) the steps federal agencies are taking to ensure that high-risk industrial radiological sources are secured.

For our report, we visited 33 industrial facilities in the United States.<sup>6</sup> We also reviewed laws, regulations, and guidance related to the security of industrial radiological sources and interviewed agency officials at NRC, NNSA, and DHS. Additional information on our scope and methodology is available in our report. Our work was performed in accordance with generally accepted government auditing standards.

---

<sup>5</sup>GAO, *Nuclear Nonproliferation: Additional Actions Needed to Improve Security of Radiological Sources at U.S. Medical Facilities*, GAO-12-925 (Washington, D.C.: Sept. 10, 2012).

<sup>6</sup>These facilities included, among others, industrial radiography companies, commercial or sterilization companies, academic research facilities, and well logging companies.



---

### Challenges Exist in Reducing Security Risks for Different Types of Industrial Radiological Sources and from Insider Threats

We identified two main types of industrial radiological sources during the course of our review—mobile and stationary sources—that pose security challenges, even when licensees follow NRC’s security controls. In addition, licensees also face challenges in determining which employees are suitable for trustworthiness and reliability (T&R) certification to mitigate the risk of an insider threat.

**Mobile Sources.** The portability of some radiological sources makes them susceptible to theft or loss. For example, the most common mobile source, iridium-192, is contained inside a small device called a radiography camera. The risks associated with mobile sources are underscored by a series of incidents involving both theft and unauthorized individuals attempting to gain access to the sources. We also identified cases of individuals impersonating state radiological safety and security inspectors at remote worksites where the mobile sources were being used.

Regarding the theft of sources, we found, for example, that a radiography camera containing about 34 curies of iridium-192 was stolen from a truck parked in a hotel parking lot. Although the door to the truck’s darkroom was locked and the device secured using cables and padlocks, the truck’s alarm system was not activated. The radiological source was never recovered.

Concerning individuals impersonating safety and security inspectors, we found that a radiography crew was approached at a temporary worksite by an individual who identified himself as an inspector. The individual became confrontational with the crew. The radiographers asked the individual to provide identification, but he refused and later left the worksite. The individual was identified as having multiple convictions on his record, including assault, forgery, and terroristic threats.

According to NRC officials, the agency’s controls provide licensees with flexibility to meet the security requirements. NRC’s security controls call for two independent physical measures—such as two separate chains or steel cables locked and separately attached to the vehicle—when securing a mobile device containing a high-risk source to a truck. The controls also call for licensees to maintain constant control and/or surveillance during transit, as well as disabling the truck containing such devices when not under direct control and constant surveillance by the licensee.

---

**Stationary Sources.** Securing stationary high-risk radiological sources also poses challenges for licensees. These types of facilities include aerospace manufacturing and research plants, storage warehouses, and panoramic irradiators used to sterilize industrial products.

One facility we visited met NRC's security controls but still had potential security vulnerabilities. Specifically, at the facility, we observed a cesium-137 irradiator with approximately 800 curies that was on wheels and in close proximity to a loading dock rollup door that was secured with a simple padlock.

NRC's security controls for stationary sources provide a general framework that is implemented by the licensee. However, the security controls are broadly written and do not provide specific direction on the use of cameras, alarms, and other relevant physical security measures.

**Insider Threats.** Licensees of mobile and stationary radiological sources face challenges in determining which of their employees are suitable for T&R certification, as required by NRC's security controls. Such certification allows for unescorted access to high-risk radiological sources. Under NRC's security controls, it is left to the licensee to decide whether to grant employees unescorted access, even in cases where an individual has been indicted or convicted for a violent crime or terrorism. Moreover, in such cases, the licensee is not required to consult with NRC before granting such access.

We found two cases where employees of industrial radiographers were granted unescorted access despite having serious criminal records. In one of the cases, a T&R official told us that she granted unescorted access to an individual in 2008 with an extensive criminal history, some of which was included on the FBI report the company received from NRC, and some that was absent. This criminal history included two convictions for terroristic threats that occurred in 1996, which were not included in the background information provided to the T&R official by NRC. The NRC officials said that the person was convicted not of a threat against the United States, but of making violent verbal threats against two individuals. Based on available documents, we identified that the individual had been arrested and convicted multiple times from 1996 to 2008, including for the following: assault, forgery, failure to appear in court, driving while intoxicated, driving with a suspended license, and terroristic threats (twice).

---

According to NRC officials, identification of a criminal history through the FBI or a discretionary local criminal history check does not automatically indicate unreliability or untrustworthiness of an individual. The licensee may authorize individuals with criminal records for unescorted access to radioactive materials notwithstanding the individual's criminal history.

Nonetheless, in the report being released today, we recommended that NRC assess the T&R process to determine if it provides reasonable assurance against insider threats. NRC acknowledged the merits of our recommendation and is planning to reevaluate this issue as part of its review of the effectiveness of the recently issued security regulations under 10 C.F.R. Part 37. This review is expected to occur 1 to 2 years after the regulations are implemented. As we noted in our report, we believe that this review should be conducted with a greater sense of urgency.

---

**Federal Agencies Are Taking Steps to Improve Security of Radiological Sources but Are Not Always Effectively Collaborating**

Federal agencies are taking steps to better secure industrial radiological sources. For example, NRC has been developing a Best Practices Guide and NNSA has two initiatives to improve industrial radiological source security. However, NRC, NNSA, and DHS—agencies that play a role in nuclear and radiological security—are not always effectively collaborating to achieve the common mission of securing mobile industrial sources.

**Best Practices Guide.** At the time of our review, NRC was developing a Best Practices Guide for licensees of high-risk radiological sources in response to a recommendation in our September 2012 report.<sup>7</sup> According to NRC officials, the guide includes information for licensees on physical barriers; locks; monitoring systems, such as cameras and alarms; as well as examples of how to secure mobile sources and sources in transit. NRC told us that during development of the Best Practices Guide they relied on a working group to provide insight into challenges licensees face in complying with NRC's security controls. However, NRC also told us that they had not directly reached out to licensees during the development of the guide to obtain the views of key stakeholders.<sup>8</sup>

---

<sup>7</sup>GAO-12-925.

<sup>8</sup>GAO-14-293.

---

We recommended in our report that NRC obtain the views of key stakeholders and licensees during the development of the Best Practices Guide. NRC agreed with our recommendation.

**NNSA Efforts to Address Security Risks.** NNSA has two initiatives under way to address security risks posed by industrial radiological sources: (1) testing and developing tracking technology for mobile sources, and (2) upgrading the physical security of industrial facilities.

**Agencies Not Always Collaborating Effectively.** Although DHS, NNSA, and NRC have an interagency mechanism for collaborating on, among other things, radiological security, they were not always doing so effectively. For example, we found that DHS contracted with Sandia National Laboratories in October 2011 to study commercially available technologies for tracking mobile radiological sources. DHS collaborated with NRC and several Department of Energy national laboratories to develop the study but did not share the results with key NNSA officials who are directly involved in radiological source security. NNSA is also developing a tracking system for devices containing mobile radiological sources, such as radiography cameras. However, we found that NNSA has not been collaborating with DHS and NRC on the project.

We also recommended that NNSA, NRC, and DHS review their collaboration mechanism for opportunities to enhance it, especially in the development of new technologies. NRC and NNSA agreed with this recommendation, and DHS had no comments on our report.

---

Chairman Carper, Ranking Member Dr. Coburn, and Members of the Committee, this concludes my prepared statement. I would be pleased to answer any questions that you may have at this time.

---

## GAO Contact and Staff Acknowledgments

If you or your staff members have any questions concerning this testimony, please contact me at (202) 512-3841 or [trimbled@gao.gov](mailto:trimbled@gao.gov). Contact points for our Offices of Congressional Relations and Public Affairs may be found on the last page of this statement. Other individuals who made key contributions include Glen Levis, Assistant Director; Jeffrey Barron, Randy Cole, John Delicath, Bridget Grimes, Karen Keegan, Rebecca Shea, and Kiki Theodoropoulos.







